

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE UNITED STATES DISTRICT COURT
FOR THE NORTHERN DISTRICT OF INDIANA
SOUTH BEND DIVISION

IN RE: BIOMET M2a-MAGNUM CAUSE NUMBER
HIP IMPLANT PRODUCTS LIABILITY 3:12MD02391
LITIGATION

MONDAY, JUNE 17, 2013

TRANSCRIPT OF PROCEEDINGS
BEFORE THE HONORABLE ROBERT L. MILLER, JR.

- - - 000 - - -

DEBRA J. BONK
Federal Certified Realtime and Registered Merit Reporter
United States District Court
204 South Main Street - Room 323
South Bend, Indiana 46601
debra_bonk@innd.uscourts.gov
574-246-8039

*Proceedings reported in machine shorthand. Transcript
produced by computer-aided transcription, Eclipse.*

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES

For Plaintiffs:

- MR. THOMAS R. ANAPOL
- MR. ROBERT DASSOW
- MR. RICHARD ARSENAULT
- MR. W. MARK LANIER
- MS. ANNE ANDREWS
- MR. RICHARD ARSENAULT
- MR. DANIEL BURKE
- MS. MARGARET M. METZINGER
- MR. PETER FLOWERS
- MR. FREDERICK HOVDE
- MR. JEFF VAUGHAN
- MR. LAWRENCE JONES
- MR. DOUGLASS KREIS
- MR. PETER MILLER
- MR. DANIEL ROBINSON
- MS. LAURA SINGLETARY
- MR. ALEX BROWN
- MR. JUSTIN PRESNAL
- MR. JOHN THORNTON
- MR. ALEX DAVIS
- MS. A. LAYNE STACKHOUSE
- MR. JASPER WARD
- MS. LINDZY McQUEEN
- MS. ELLEN RELKIN

(see docket for addresses)

For Defendant:

- MR. JOHN D. WINTER
- MR. JOHN LaDUE
- MS. ERIN LINDER HANIG
- MR. BLAINE DART

(see docket for addresses)

1 **THE COURT:** Good morning.

2 Robert Dassow.

3 **MR. DASSOW:** Good morning.

4 **THE COURT:** Peter Flowers.

5 **MR. FLOWERS:** Good morning, Your Honor.

6 **THE COURT:** Good morning.

7 Fredrick Hovde.

8 **MR. HOVDE:** Good morning.

9 Shelly Hutson.

10 **MS. HUTSON:** (No response.)

11 **MR. VAUGHAN:** Your Honor, Jeff Vaughan appearing
12 for Shelly.

13 **THE COURT:** Oh, okay. Let me -- Jeff Hahn (sic)?

14 **MR. VAUGHAN:** Vaughan.

15 **THE COURT:** Okay. H-A-H-N?

16 **MR. VAUGHAN:** V-A-U-G-H-A-N.

17 **THE COURT:** Thank you.

18 Lawrence Jones.

19 **MR. JONES:** Good morning, Your Honor.

20 **THE COURT:** Good morning.

21 Mark Lanier.

22 **MR. LANIER:** Good morning, Judge.

23 **THE COURT:** Douglass Kreis.

24 **MR. KREIS:** Good morning, Your Honor.

25 **THE COURT:** Good morning.

1 Peter Miller.

2 Good morning.

3 **MR. MILLER:** Good morning, Your Honor.

4 **THE COURT:** Daniel Robinson.

5 **MR. ROBINSON:** Good morning, Your Honor.

6 **THE COURT:** Good morning.

7 Laura Singletary.

8 **MS. SINGLETARY:** Good morning, Your Honor.

9 **THE COURT:** Good morning.

10 Alex Brown.

11 **MR. BROWN:** Good morning, Your Honor.

12 **THE COURT:** Good morning.

13 Justin -- is it "Presnal"?

14 **MR. PRESNAL:** Yes.

15 Good morning.

16 **THE COURT:** Good morning.

17 John Thornton.

18 **MR. THORNTON:** Good morning, Your Honor.

19 **THE COURT:** Good morning.

20 Alex Davis.

21 **MR. DAVIS:** Good morning.

22 **THE COURT:** I'm sorry. I can't make out the first
23 name. Stackhouse.

24 **MS. STACKHOUSE:** It's A. Layne.

25 **THE COURT:** Layne, okay.

1 **MS. STACKHOUSE:** Good morning, Your Honor.

2 **THE COURT:** Good morning.

3 Sorry.

4 And Jasper Ward.

5 **MR. WARD:** (No audible response.)

6 **THE COURT:** And Lindzy McQueen.

7 **MS. McQUEEN:** Good morning.

8 **THE COURT:** Good morning.

9 Did I miss anybody for Plaintiffs?

10 **MS. RELKIN:** Yes. Ellen Relkin. R-E-L-K-I-N.

11 **THE COURT:** Okay. All right.

12 And then, for the Defendants, we have John Winter.

13 **MR. WINTER:** Good morning, Your Honor.

14 **THE COURT:** Good morning.

15 John LaDue.

16 **MR. LaDUE:** Good morning, Judge.

17 **THE COURT:** Erin Hanig.

18 **MS. HANIG:** Good morning, Your Honor.

19 **THE COURT:** Blaine Dart.

20 **MR. DART:** Good morning.

21 **THE COURT:** Good morning.

22 In the conversation in chambers, it appeared that
23 there wasn't much that we had to address urgently. We
24 talked about handling the motions that are starting to come
25 in to strike affirmative defenses, and it seemed to be the

1 general sense of everybody that it would be best to focus on
2 the substantive issues, at this point, and to defer ruling
3 and, in effect, stay briefing on those until we're a little
4 deeper into the case so they might be able to be addressed
5 along with summary judgment motions when the time comes.

6 We talked about the possibility of posting either a
7 proposed form complaint or a variety of form complaints on
8 the Website to help attorneys as they are filing these cases
9 understand that John Doe defendants are going to be stricken
10 and that certain Biomet entities are not Biomet entities and
11 shouldn't be sued, identify those that should, in the hopes
12 that we can avoid those problems on down the road.

13 I think Plaintiffs were going to double check with
14 folks who have filed directly with this court, saying that
15 venue is proper in this court, when it may have been it was
16 meant that venue was meant to be laid elsewhere.

17 I indicated that the common benefit fund motion, we
18 would await objections -- I assume would come from other
19 Plaintiffs' counsel -- before entering any sort of common
20 benefit fund order, and that if objections came in that
21 looked like they needed to be argued, we would address it at
22 the next status conference, and, otherwise, I would try and
23 get an order out when the motion becomes ripe.

24 We talked about a couple of duplicate cases and one
25 or two interesting ones where Zimmer might be the only

1 remaining defendant, which, I think, were filed with a
2 misunderstanding as to the relationship between Biomet and
3 Zimmer.

4 I think that's everything we talked about in
5 chambers.

6 Is there anything --

7 (No response.)

8 **THE COURT:** Okay. And everybody indicated there
9 really wasn't anything else to raise today for status
10 conference. It sounds as though everything's plugging along
11 pretty well, and I guess that takes us to science day.

12 And as I understand it, the parties have agreed that
13 Mr. Lanier can have rebuttal science or something.

14 How would we describe that?

15 **MR. LANIER:** Yes, Your Honor. I think parties have
16 agreed I could save a little time back. My hope is to save
17 a lot of time back for the Court.

18 **THE COURT:** All right. The Plaintiffs may proceed
19 with their understanding of the science.

20 **MR. LANIER:** Thank you, Your Honor.

21 Your Honor, in preparation for science day, what
22 we've done is we've prepared a PowerPoint that will come up
23 with the screens here, we hope. That is the plan. Let's
24 see. Yes, it does, and, your Honor, the PowerPoint doesn't
25 fully track, but it comes close to tracking a presentation

1 outline.

2 May I approach the Bench?

3 **THE COURT:** You may.

4 **MR. LANIER:** Your Honor, what we've done is we've
5 given one to the court reporter already and providing
6 Defense counsel right now.

7 I will tell you that this was prepared in a
8 marvelous manner by some really wonderful people at a
9 variety of different law firms, and everybody had a
10 different chore, and so this is a Frankenstein project, in
11 some ways.

12 And I only say that to say that, as I was looking at
13 it to get ready for this argument, it occurred to me, when I
14 looked at the glossary -- which, to me, is one of the
15 handiest features of this. It may be something you want to
16 hang on to or the parties may want to supplement as time
17 goes on -- it occurred to me that someone was alphabetically
18 challenged when they start with T for "tribology" and then
19 go to C for "corrosion" and P to "polyethylene." And then I
20 found out that the person who was ultimately responsible for
21 putting this together was the tribology people, and they put
22 it in the order of priority and decided that tribology was
23 the most important part of this entire glossary. So we have
24 put in a supplement, which has it in alphabetical order, and
25 we'll tender that in due order to get it together to you

1 right.

2 So, Your Honor, this is Plaintiffs' science day
3 presentation. It is not my purpose to be an advocate today.
4 My purpose today is simply to acquaint the Court with the
5 useful terms and the important issues that you, Your Honor,
6 will need to have at your mental disposal as we get into
7 fussing and fighting over discovery and other such things
8 over the next few months and, perhaps, year.

9 So, with that, Your Honor, here it is, and I bring
10 it to you with a warning. My warning is this. This
11 presentation is built off limited document review and
12 pre-suit investigation. We haven't done a lot of discovery
13 and so that's going to change things and will perceive
14 things a little bit better.

15 For my science day education, we start with Hip
16 Anatomy 101, the basics we need to know about the hip. I've
17 stolen these pictures. These are not original with me.
18 This is a cut away from the pelvis view. You can see the
19 socket, which is also called an acetabulum, and there are
20 other words that are built off of that, the acetabular cup
21 and things of that nature, but the socket is part of the
22 pelvis that receives the ball or the femoral head, femoral,
23 of course, coming from the fact that it's the head of the
24 femur, the big thigh bone, so the song "The Thigh Bone
25 Connected to the Hip Bone" is generally correct, but there

1 are a few finer details that didn't make the lyrics. The
2 socket of the acetabulum has a smooth weightbearing lining
3 surface, and there is a smooth cartilage that covers the
4 bony end of the thigh bone on the femoral head. That's
5 basically 101.

6 Now, that's not adequate, however, so we go to Hip
7 Anatomy 201. Hip Anatomy 201 is not as complicated as this
8 picture would make you believe. No one will get tested over
9 the iliofemoral ligament on the Y ligament of Bigelow.

10 However --

11 **THE COURT REPORTER:** Mr. Lanier, will you slow down,
12 please?

13 **MR. LANIER:** I am so, so sorry. I got really
14 excited over this picture.

15 However, Your Honor, the important things on these
16 pictures are the things that I can illuminate with red
17 circles on the PowerPoint, and this indicates that the
18 cutaway view that we had in the last slide is a bit
19 deceptive. It helps to reveal some of the parts, the
20 anatomical parts, that we need to know the terms of.

21 But that hip socket is actually encapsulated and so
22 there are ligaments that surround it, and even the ligaments
23 that help hold the joints together and keep that capsule
24 intact are surrounded by more muscle, and that becomes
25 important when we see some of the disease process that can

1 happen from metal debris.

2 So, with that, if we look very carefully at the
3 joint capsule itself, you'll see in the picture the synovial
4 membrane. The synovial membrane is that thin layer that's
5 on both sides of the blue space. That synovial membrane
6 actually emits synovial fluid. Synovial fluid looks kind of
7 like an egg white.

8 In fact, boring trivia, "synovial" probably comes
9 from the Greek word "syn," which means "with," and "ovum,"
10 which is a reference to the egg. It was a coined term by
11 Paracelsus when people started getting -- Renaissance.
12 Thank you -- smart.

13 Anyway, so it's an egg white substance that's kind
14 of filmy and kind of slick. That's your synovial fluid, and
15 that fluid allows the femoral head to rotate and to move in
16 that joint space in a way that is pain free and is friction
17 free, and that's the marvelous way that it works.

18 You can see also from this the way it's
19 encapsulated. You've got the joint capsule ligaments.
20 You've got a labrum. You've got different features that
21 keep that synovial fluid intact.

22 As we get older, the synovial fluid's not
23 automatically as flush as it might be when we're younger.
24 That's why I noticed after I turned fifty, when I stand up
25 sometimes, I've got to move a little bit before it starts --

1 because you've got to get the synovial fluid up into the
2 joints as fully as possible to keep it from being stiff.

3 Another very useful part of this picture, Your
4 Honor, is, if you look at the femoral head, the way it's
5 drawn, you'll see it to have a lot of redness, and that's
6 because, underneath the cartilage, it's a very blood-rich
7 zone. There's a lot of vascular activity on the femoral
8 head, and that's going to become important as we look at the
9 disease state.

10 So, with anatomy now to the side, I'm going to shift
11 to the question: Why do patients need artificial hips?
12 What is it that causes this? And there's a number of
13 reasons. The predominate, number one reason is listed
14 statistically, at least, as osteoarthritis, "osteo"
15 referencing the bone, and "arthritis" representing the
16 condition.

17 So I put on the PowerPoint, first, an actual good
18 ball and socket where everything's great, the cartilage is
19 fine, and you can see it and be happy and run, leap, and do
20 anything you wish to do on that.

21 I put up next to it an osteoarthritic hip, and you
22 can see how the joint space is narrowed. You can see bone
23 spurs and rough bone. You can see the irregular
24 weightbearing surfaces from the worn cartilage. This is a
25 painful hip. This is a hip that does not work well and

1 function well, and this is the most typical reason that hip
2 replacements are done.

3 I think statistically the second most typical reason
4 is a disease called avascular necrosis. "Vascular"
5 referencing the blood flow. "A" in front of it meaning a
6 lack of blood flow. "Necrosis" meaning dead, dead cellular
7 tissue. Avascular necrosis. If we go back and recall the
8 redness in the picture of the femoral head, here, at this
9 top picture that I've put on the PowerPoint, you'll see the
10 blood flow is critical to the integrity of the bone. The
11 blood flow is what heals and repairs bone. The blood flow
12 is extremely important. If there is a lack of blood flow,
13 within twelve to twenty-four hours you have cell death
14 occurring on the osteo level, on the bone level. And when
15 that cell death occurs below the cartilage, the cells start
16 collapsing on themselves, and the cartilage will collapse,
17 as well. And once that happens, you don't have a good,
18 smooth articulating surface, and it is a problem.

19 I've put up another medical drawing -- these are not
20 mine. These are available in the literature -- showing in a
21 hyper-illustrated fashion that once you start destroying
22 bone integrity, the cartilage, the whole joint can go away.

23 Now, I say "can" because there are other treatments
24 for avascular necrosis. One is stop it from happening.
25 Doctors aren't totally sure why it happens. There's lots of

1 different reasons. It can happen from radiation. It can
2 happen from medicine. It can happen from trauma. It can
3 happen from inflammation. There are a number of different
4 ways you can lose that blood supply, but doctors are trying
5 to figure out and have some manner of treating it, and the
6 body will repair itself and produce new cells if it's caught
7 in time. But if it's not, you're going to have the
8 sclerosis and the fragmentation that we see in this X-ray
9 picture, and this is damage that is not going to be repaired
10 by the body and so is open to someone receiving an
11 artificial hip.

12 Now, of course, there are some other less common
13 reasons for hip implants. There can be trauma to the hip
14 joint. There can be rheumatoid arthritis, which is an
15 inflammation disease inside the joint. In fact, rheumatoid
16 arthritis is considered to be one of the causes of the
17 avascular necrosis, as well, the lack of the blood supply.
18 But it's an inflammation process in the joints, and it can
19 cause significant problems, especially in younger people.
20 And so with younger people, you've got a rheumatoid
21 arthritis issue, and with younger people, you've also got --
22 avascular necrosis can occur with them, as well.

23 There are 285,000 annual hip replacements in the
24 United States. It's a fairly common surgery. It's one that
25 has surgeons that specialize in that. These are surgeons

1 that generally use one product or another, one vendor or
2 another. Sometimes there will be multi-vendor surgeons, but
3 not always. It just depends. The surgeons, when they do
4 this surgery, they get to know the sales staff and the
5 support staff of the manufacturer of the device quite well,
6 so there will be sales people who will deal specifically
7 with a physician and have that physician designated as the
8 go-to person. Oftentimes, these sales people -- in fact,
9 may not be a sales person, per se, but it's the designated
10 representative -- will even be present in the surgery room
11 while the surgery's going on.

12 So these surgeries themselves are done with some
13 pretty cool tools. One that I don't think --

14 **THE COURT:** Let me ask real quickly, because I know
15 in some instances a representative from the device vendor is
16 present because of different sizes and they need -- are
17 these -- do these come in different sizes?

18 **MR. LANIER:** Yes, they do, Your Honor. They come in
19 different sizes. They come in different -- not just
20 dimensional sizes of the ball, but different sizes
21 indifferent ways, and so the representative will bring in,
22 oftentimes, extra pieces, extra parts, extra sizes, because
23 the surgeon may be in the middle of a surgery and decide,
24 "This isn't big enough. I need something smaller." The
25 surgeon's got to manage to replicate that hip joint. Let's

1 say you're doing the left hip. He's got to make that left
2 hip be size appropriate for the right hip, and that's not
3 something you always know until you get in there.

4 And the surgery itself -- I initially had a
5 seven-minute clip of a surgery in here for you. I decided
6 to take it out, but it's pretty cool because they go in
7 there and, once they cut through the ligaments and all, they
8 totally dislocate this leg. I mean, it's just like taking
9 the leg -- I mean, it would make a gymnast proud. It wraps
10 it around near the head almost while they go to work on it.

11 The tools that they're using when they do this are
12 not like we might find in the dentist's office with a little
13 "whooo." I mean, this is Black and Decker stuff. This is
14 real stuff. They have a reciprocating saw that they'll use,
15 and they'll lop off the head of the femur. And all of the
16 bad cartilage, all of it, it all comes off, and they'll just
17 saw it right off.

18 Then they'll go into the acetabulum, which is that
19 medical word for the hip socket, with a reaming tool.

20 And, Your Honor, we've not had benefit of getting
21 yet sample parts from the Defendants -- that will come at an
22 appropriate time -- but we were able to bring some show and
23 tell.

24 And so, for example, if I pull up the ELMO here, you
25 can see this. This is a reaming tool that I've put on here.

1 It looks like a cheese grater that's made round. And so
2 you've got this round cheese grater, and they'll take that
3 acetabulum, and they just -- and I'm not going to keep doing
4 that to my hand because I just lost an inch of flesh, but
5 they turn that puppy around, and it will rotate. And when
6 they turn it around and rotate it, it reams out, it cleans
7 out and smooths out that acetabulum so that they're going to
8 be able to put a cup or a liner into that acetabulum. But
9 for that liner to go in, they first have got to make the
10 hole or the oval socket clean and ready to receive the
11 liner.

12 So, with that, Your Honor, we can look at the -- I
13 think I'll bring back up my PowerPoint.

14 I hit "podium." Did I do right? I may have broken
15 it. Ah, there we go.

16 So that's the acetabular reamer. It reams out the
17 acetabulum.

18 Aside from that, you've got to get the femur ready
19 to receive the implant, so what they do is they take a tool
20 like this -- I mean, it looks like a prison shiv or
21 something, you know -- and they take it, and they put it in
22 the top of a femur with a hammer, and they just start
23 pounding it down there. Once they get that done, they take
24 these rasps, and it's a very raspy tool, and they'll take
25 this rasp, and they'll just start working it back and forth

1 to get the hole the exact dimensions the hole needs to be
2 inside the femur to take the implant itself.

3 And so that's what's done. And when it's done,
4 they're able to put in the implant, and they're able to
5 place the cup in the acetabulum. And once they've done
6 that, they start trying to attach the head.

7 Now, the attachment of the head has to be done onto
8 the Taper in such a way that you've got not only the right
9 size for the socket, but the right dimensions for the other
10 hip that is being used so that someone doesn't walk around
11 with one leg shorter than the other, and so this is a
12 process of making sure things fit.

13 The placement of the cup is a significant issue. We
14 don't know the discovery yet, but we suspect that you will
15 find that it becomes an issue in the case where the parties
16 and the experts fuss. Did the physician put the cup at the
17 right inclination or the right angle? Did the company teach
18 properly? Did the company know the right angle? All of
19 those will be issues, we suspect, in this case.

20 So when you hear issues about the inclination angle,
21 the question is -- and it makes good sense, if you think of
22 it this way. If the inclination angle is right and the ball
23 works, then the hip is going to have minimal wear. But
24 there's a concern, if the inclination is too extreme, then
25 you're going to have too much weight on the edge of the cup,

1 and it's going to cause what's called edge wear because it's
2 not going to have the whole diameter of the cup to help
3 distribute the weight and the effect.

4 So all of these become important issues. They'll
5 become issues also as to the popularity of these implants
6 because some surgeons, we expect, were told that with
7 metal-on-metal and with the larger heads, the exact
8 placement of the cup is not that big of a deal, and so
9 surgeons were quicker to move to this.

10 It will also -- final point on this -- become
11 relevant because there will be issues about how surgeons
12 actually place that cup. It's not always that easily done.
13 What surgeons will typically do, some, is they will be in
14 the surgery room, and they'll have the patient on his or her
15 side, and they'll figure, "Okay, that corner of the room is
16 forty-five degrees so I'm going to position this cup to go
17 with that corner of the room." There have been tools that
18 have been made by the companies to try and help with cup
19 placement, but those tools are notoriously poor.

20 Everybody's pelvis is a different size. Everybody presents
21 differently. It's just a really difficult issue, but it's
22 one you'll hear a lot about, the cup inclination angle.

23 So, with that, you've got the implants themselves.
24 Once the surgeon reaches this point, the surgeon's got
25 choices. The surgeon can use polyethylene plastic. We call

1 it "poly", typically, in these cases, and polyethylene is a
2 reference, generally, to the liner. It's to what is in that
3 cup, the acetabular cup, and it is the surface the ball will
4 impact into.

5 Now, some hip implants are what are called
6 ceramic-on-metal, and so you'll have a ceramic ball and
7 you'll have a metal cup, and those are one variety that you
8 can find. Another variety is to use a ceramic ball and a
9 ceramic cup. The specific implants that the Plaintiffs
10 believe in this case are problems are what are called
11 metal-on-metal, and those are the implants where you have a
12 metal liner and you have a metal cup.

13 If I go back to the ELMO, I've got a sample here.
14 It's not the product in question, but it is an example,
15 nonetheless, and you can see it's got the metal ball.
16 Here's the Taper, so this would fit into the femur that way.
17 You've got the metal ball and a metal liner that's going
18 into the hip socket, and that becomes a metal-on-metal
19 joint, and those are the ones that we've got issues with.

20 If I could go back to the PowerPoint, please.

21 **MS. KIRKWOOD:** (Complies.)

22 **MR. LANIER:** Thank you.

23 Those are the ones that we've got issues with in
24 this case.

25 So, if we take, for example, Biomet's 2009 pricing

1 guide, and we look at it, here, they've set out their
2 M2a-Magnum System, and they've got the cup, a little over
3 five grand. They've got the head, which is 1800 plus.

4 Uh-oh. There we go. Maybe.

5 **MS. KIRKWOOD:** (Indicating.)

6 **MR. LANIER:** Thank you.

7 And then they've got the Taper insert at 535 bucks.
8 So for \$7,500, not counting tax, you get your metal-on-metal
9 hip.

10 It's comparable to the poly system, which would
11 still be the head or the shell, but, inside that, they've
12 got an advanced plastic liner or cup. They still use a
13 metal cobalt chromium head. And your total price, if you're
14 getting that, is a little bit cheaper. You get it for under
15 five grand.

16 So these are the different types of implants. And
17 if you're a patient and you're going in to get one done,
18 your doctor may tell you his thoughts, he may show you these
19 different possibilities, and you may have some of the
20 literature that's been produced by the company to look at to
21 make your decision.

22 I'd like to shift gears for a moment now and talk
23 about the history of hip implants for a moment because your
24 issues will not only deal with the medical terminology and
25 the surgery itself, but a lot of the issues deal with the

1 historical development. Much of what the Court is going to
2 have to be faced with, in some ways, and even the jury, is
3 the classic state-of-the-art argument, and so history
4 becomes very important.

5 I'll throw up a time line on the PowerPoint and tell
6 you that, in the 1950s, Sir John Charnley came up with the
7 first hip implant, or at least became famous for these hip
8 implants, and he would use a metal head on a Teflon liner,
9 so he would take a metal ball for the femur and have a cup
10 with Teflon.

11 Which anybody who cooks on a Teflon skillet gets
12 told, "Don't use a metal spatula or a metal flipper on your
13 pancake griddle because you're just going to leave tracks as
14 you cut through the Teflon."

15 Metal on Teflon did not work real well, and so it
16 was just a matter of time before Sir John Charnley changed
17 his Teflon liner to a plastic liner, a poly liner, and that
18 was done in the 1960s. In fact, history relates that
19 Charnley felt so bad over having done those Teflon liners
20 that he personally saw to the fixing and the repair and the
21 replacement of each of those himself in surgery.

22 If we go into the end of the '60s, there was a
23 company called McKee-Farrar that introduced metal-on-metal
24 where they actually used a metal liner, as well as a metal
25 ball, but the revision rates were very high, and so that was

1 not -- didn't make it into the market as they had hoped.

2 So in the '70s and the '80s and into the '90s, the
3 standard for this was metal-on-poly. And the poly would
4 improve over time, but it would stay a metal-on-poly implant
5 market, by and large, until the '90s.

6 In the '90s, the middle to end of the '90s,
7 metal-on-metal returned. When metal-on-metal returned, it
8 returned in an alloy of cobalt, chromium, and molybdenum.
9 These metals are, in some ways, very, very hard, and the
10 goal was to get such a hard metal that you don't have a
11 problem with metal wear debris. You've got such hard
12 surfaces that they just won't emit debris.

13 The problem with that is it doesn't work, at least
14 that will be the Plaintiffs' contention, that when
15 metal-on-metal returned, the companies were trying to
16 address, and their sales literature addressed, certain
17 problems that were out there with the poly. Poly was not
18 perfect. Poly had problems. Among the poly problems,
19 chief, was osteolysis, and this is a situation where, in
20 essence, the bone has an issue and a reaction, and it causes
21 the implant to loosen as the bone retreats from the implant,
22 so osteolysis was a problem.

23 Another problem with the poly is you do get some
24 measure of debris. Metal-on-plastic will cause the plastic
25 to wear, and so you've got that, and that debris and that

1 wearing leads to a durability issue.

2 If you're an eighty-five-year-old man, you may not
3 get a hip replacement. You may use a walker. But if you're
4 seventy-five and like your golf, you may want a hip
5 replacement, and that might be a fine time to get this. But
6 if you're thirty-five years old and you have avascular
7 necrosis from rheumatoid arthritis, then the idea of getting
8 a hip implant that's only going to last for fifteen years or
9 so is not encouraging.

10 And so the companies were able to say, "Let's get
11 ones that will last twenty-plus years for this younger
12 market," and they did.

13 We think that you're going to see -- and we're
14 certainly going to be pushing for discovery -- whether or
15 not there were market issues in play, as well. Of course,
16 there's how much money can you charge, that type of market
17 issue. But we think that what we've termed a settled market
18 existed in the '90s. And, by that, there were the companies
19 that had their niche within the market, and there were
20 companies that wanted to get into the market but couldn't
21 break into the metal-on-poly because everybody already had
22 them, and the surgeons were happy with them, they knew how
23 to use that particular model, and they didn't see any need
24 to change. So the idea of making a metal-on-metal market
25 opened up the door for a gain of market share among

1 manufacturers that were basically limited in their market
2 share by the way the market had already been divided, so
3 these are the issues that we think are there on the poly
4 problems, certainly worthy of discovery and talking about.

5 Some of these we get from an actual PowerPoint slide
6 that I've pulled up of Biomet, and this is a Biomet slide
7 where Biomet talks about why metal-on-metal is a better
8 option. They talk about the polyethylene debris. That's
9 the plastic wear. They talk about implant failure due to
10 osteolysis. That's the loosening. They talk about the need
11 to hit that younger, more active patient, who might need a
12 better, more durable metal-on-metal hip, and then they put a
13 fourth bullet point about minimal wear debris.

14 Now, this is important, Your Honor, because you will
15 have a lot of discovery and a lot of scientific issues that
16 come down on this. The wear debris, as cited by the
17 company, is ten to a hundred times less than a
18 metal-on-poly. It looks good. The problem with that is --
19 we will be addressing and focusing the Court on different
20 measures of weight -- the volume itself may be ten to a
21 hundred times less, but the actual number of particles, the
22 particulate debris, is much greater in metal-on-metal
23 because the particles are smaller.

24 Here's what I would like to do to show the Court,
25 the metal-on-metal problems. First of all, metal itself has

1 toxic problems that are not found in the poly debris. The
2 metal toxic problems. Here is wear debris that was taken
3 from a mouse pouch model in a 2012 paper by Akbar. And if
4 you look at the top left-hand corner, that's magnified to
5 one hundred micrometers. That is a massive magnification, a
6 massive amplification of size, so that we can actually see
7 the wear debris. The wear debris are nanoparticles. This
8 is a scientific term for them because their size is so very
9 small, and that's what makes them destructive.

10 That's like Asbestos. Asbestos wouldn't be bad if
11 it were big clumps. It's the fact that it's so small that
12 it can invade the body on such a precise level to do
13 irreparable damage.

14 So these are nanoparticles, and what nanoparticles
15 do is they activate immune responses which can lead to
16 chronic inflammation. The body has macrophages, and
17 macrophages are in the different parts of the body. In the
18 lungs, they're pulmonary alveoli macrophages. In the hip,
19 you've got them. In the musculature, you've got them. The
20 macrophages look for foreign invaders. And when they find
21 the foreign invaders, the macrophages will try and throw
22 chemicals and other things at the invaders to eliminate
23 them. If it's a virus, let's go kill the virus. Whatever
24 that foreign invader may be, the body's defenses come, by
25 and large, through macrophages.

1 The problem is these nanoparticles are so small the
2 macrophages see them as an invader, yet the macrophages are
3 not able to defeat them, and so more macrophages come and
4 more macrophages come, and, ultimately, you've got a chronic
5 inflammation that is -- well, it's bad. It's bad on the
6 body.

7 The second point on nanoparticles. When
8 nanoparticles are soluble, they can actually release free
9 metal ions that can cause systemic disease, so you're going
10 to get to hear about all of this stuff, discovery, experts
11 and others, as we press on nanoparticles, we press on free
12 metal ions, what studies were done, what studies were not
13 done, were results cherry-picked, are results fairly
14 interpreted, all of those become issues in this case.

15 Last point on nanoparticles. In the bone, these
16 free ions are possibly also byproducts -- and possibly --
17 excuse me -- also byproducts of inflammation. In other
18 words, the ions are causing inflammation. They're
19 particularly damaging, and they can actually lead to
20 substantial bone resorption or bone loss, and this will
21 cause loosening of the implants, as well, which can be
22 another problem totally aside from the others.

23 So you've got the nanoparticles, and they come from
24 the implant itself. The implant can actually emit free
25 metals that can just leach directly from the implant, but

1 that's not where most of them come from. Where most of them
2 come from is the wear debris.

3 And what happens, Your Honor, is there are some
4 carbon-based elements in this alloy that are really, really,
5 really hard, and so they have almost a sandpaper effect on a
6 microscopic level.

7 You and I can look at these little things here, and
8 we can play with them, and I can roll this around, and it
9 feels awfully smooth, but, on a microscopic level,
10 especially if you put a two hundred pound man on the top of
11 this one and you keep this one wedged firm, you've got wear
12 that's happening almost immediately, and the wear is on such
13 a small, microscopic level that it produces these
14 nanoparticles.

15 Cobalt and chrome are part of the wear debris, and
16 they come in different sizes and in different chemical
17 formulations, but the bottom line is these reek havoc on the
18 body's immune system and on the body's bones and the body's
19 tissues.

20 It's been known since the 1990s. It's been known
21 through pulmonary toxicology work. That's a lot of the
22 toxicology work that's done by the lung people because a lot
23 of these nanoparticles are inhaled in different work places.
24 There were a lot of welding fume issues and lots of other
25 places where this has become an issue.

1 Nanomedicine is also an area that cropped up in the
2 '90s. Nanomedicine is: How can we take nanoparticles that
3 are so pervasive and can invade the body -- can we make them
4 in a way that they help the body? Let's put something good
5 on this nanoparticle because the nanoparticles themselves
6 not only will activate the immune system, but if you've got
7 some that have a careful coating on them, they're safe
8 particles so they can stay in the body without the immune
9 system going crazy.

10 Of course, ultimately, you will also hear dose and
11 duration issues with this because the number of
12 nanoparticles will control the response. Have you got one
13 or two nanoparticles or one or two million nanoparticles?
14 But when you've got millions and millions and hundreds of
15 millions, you've got a whole different issue because it
16 stirs a deeper pot, and so that's what we've got. The
17 nanoparticles cause a massive immune reaction as the body
18 tries to clear the debris. So with metal-on-metal, you've
19 got a toxic problem that you don't have with the plastic.

20 In addition to that, there is this issue of debris.
21 Is the debris less by volume and weight? Yes. But is the
22 debris less by number? Absolutely not.

23 While we are told in the Biomet materials that it's
24 ten to a hundred times less than metal-on-poly, in
25 actuality, what the literature indicates to us is a low wear

1 volume does not necessarily indicate low numbers of
2 biologically reactive particles. Current evidence indicates
3 that the size of wear particles generated by metal-on-metal
4 articulations are very small, and, therefore, the numbers
5 produced may exceed the numbers of ultrahigh -- it's the
6 super deluxe polyethylene particles generated in
7 metal-on-polyethylene prosthesis, despite the differences in
8 wear volumes. So the debris issue is a debris issue with
9 metal-on-metal because you may have a smaller volume, but
10 the number and the size are much bigger.

11 Now, while I have talked about that article, I
12 should add that poly has changed over time and the
13 generations of it have changed so they've developed a poly
14 that's the ultrahigh type that was just talked about there
15 that doesn't have the osteolysis problem, for example.
16 That's been going for ten-plus years, by and large, not
17 totally, but by and large, so all of this is subject to
18 generational changes.

19 The third problem with metal-on-metal, the revision
20 rates of metal-on-metal are epically high. Here are the
21 epic revision rates taken from an article by Molli and
22 Lombardi.

23 I did these specific to Biomet. You'll see the
24 failure rate of the MoIP. That's
25 metal-on-improved-polyethylene. That's the good stuff that

1 they've got, 2.2 percent.

2 If you look at the Magnum, you look at the Taper,
3 you look at the M2a-38, you're going to see some changes
4 that, at first blush, may not be that significant. But if
5 you get into it a little bit closer, you realize that a
6 3.6 percent failure rate is 63 percent greater than the
7 metal-on-improved poly. If you look at the Taper, it's
8 82 percent greater. If you look at the M2a-38, it's
9 218 percent higher, and that's a huge difference. So while
10 we look at the numbers, and you think, 2.2 to 3.6 doesn't
11 seem that significant, there's actually quite a significant
12 difference in the revision rates between these.

13 A final metal-on-metal problem that you don't have
14 in the same way with poly is, of course, inadequate
15 lubrication. It's just -- we suspect that you will find two
16 different sets of conversations about the lubrication.
17 There will be the set that's done by the scientists, the
18 tribologists and the lubrication people. There will be the
19 set done by them about lubrication and how it's working and
20 not working and the problems, and then there will be the set
21 that's done by the marketing people, because the marketing
22 people claimed, we suspect -- the claim was this. Here's
23 marketing -- that there's optimal clearance, that there's
24 precise implants tolerance and 100 percent quality control
25 that provides the optimal clearance and the fluid

1 lubrication necessary to maintain ultralow wear rates.

2 Now, this fluid lubrication necessary is basically a
3 full fluid film. It means that there's going to be fluid
4 between the cup and the ball that is sustained throughout
5 the entire rotation area.

6 The problem with that is, while it's the claim, we
7 believe there's an issue of whether or not lubrication even
8 works. Our position will be that it doesn't, but I'm not
9 here to argue that position. I'm here just to let you know
10 that it is our position.

11 You'll see things that are going to bring out the
12 engineer in you, Your Honor, and just delight your day and
13 your court reporter's time as she types up our Stribeck
14 curves and talk about normalized fluid film thickness and
15 friction, and those show -- what they do -- there are a
16 number of different ways to check is this metal ball rubbing
17 against this metal socket, and so they've got wonderful
18 tests. One of them is an electrical test where they'll put
19 an electrode on each end and they'll see if an electrical
20 current is passing from the ball to the socket because
21 they're meeting.

22 So you've got all of these different types of tests
23 that check the lubrication, and we'll do those as we talk in
24 our next installment on Tribology 101.

25 Now, tribology, a phrase coined, I think, in the

1 Second Edition of Oxford's English Dictionary. It's where
2 it was first found. It was developed by, the phrase
3 developed by -- or the term developed by a scientist, an
4 engineer over at Oxford. So tribology is the study of
5 interacting surfaces in motion; friction, wear, lubrication,
6 bearings.

7 Until I got into this work, I had no clue about
8 tribology. I would have thought tribology is the study of
9 native American migration or something. I mean, I didn't
10 know. It turns out it's this massive area. The Department
11 of Defense, huge in tribology. NASA, huge in tribology.
12 They invent all these things and send them up in the space
13 station so, in zero gravity, they can try to figure out how
14 things wear against each other. You know, the Department of
15 Defense is always worrying about this product wearing out or
16 that product wearing out or something locking on the
17 battlefield, all sorts of problems. So this study of
18 friction, this study of how things wear, how you lubricate
19 bearings is a massive area of engineering that gets its own
20 name, tribology. And tribology will talk about true fluid
21 film lubrication, and this is true lubrication. It's where,
22 throughout the whole process, there is a lubrication that's
23 occurring.

24 There is also a term they use called boundary
25 lubrication, and boundary lubrication is where you've got

1 some lubrication, but it's basically just around the
2 boundaries. It's not really permeated throughout the entire
3 surface.

4 And then, of course, they've got mixed lubrication,
5 which gives you some degree of both.

6 These are terms you'll get to learn. You'll get to
7 see pictures. And I've put these pictures in the booklet.
8 I've got them on the PowerPoint. These are magnified
9 pictures that show scratches in metal implants.

10 I do not have pictures of Biomet implants yet. I
11 want the record to be clear. I want Defense counsel to know
12 and the Court, of course. I'm not representing this is a
13 picture of one of our Biomet implants or Plaintiffs'. It's
14 just a picture to show some of the scratching that you get
15 under bad wear.

16 We've got other pictures. In fact, you can see, in
17 this second picture, there are little dots and holes, and
18 those seem to be areas where some of the carbon molecules
19 are peeling off of these implants as they rub against each
20 other, so you've got pitting and all the rest.

21 There have been studies that indicate the number of
22 debris particles that are generated per year and per step,
23 and, as I said, it's not one, it's not one million, but
24 you've got, each step, ten million debris particles,
25 nanoparticles, that are being generated. The number for

1 each year is a number I can't pronounce.

2 The danger of these, as I referenced earlier when I
3 talked about the dangerous effects of nanoparticles, is the
4 wear debris is, roughly, the same size as a virus, so you've
5 got a massive number of these invading the body, and the
6 body doesn't recognize them as simply debris from your new
7 helpful hip. They see it as a dangerous invader. And these
8 larger particles of chromium will actually decorate the
9 surfaces of cells, but the problem with the nanoparticles is
10 they can actually enter the cell itself and release
11 hexavalent chromium, and so you'll see people with bad
12 reactions to these implants presenting with blood tests that
13 show high metal concentrations because the metals have made
14 it through the system in such a way that you can find
15 evidence of them in the bloodstream, and it's something that
16 results in cell death.

17 I've got two types that I would refer the Court to,
18 two terms that you'll become familiar with, if you're not
19 already.

20 The first are pseudotumors. "Pseudotumors" is a
21 term that was devised, again, by some doctors in England,
22 for some reason. And, for the life of me, I can't figure
23 out where it comes from. I've talked to them about it and
24 tried to get it. It's not a tumor. I hear "tumor", and I
25 think of a cancerous cell growth, malignant or benign. It's

1 not a tumor at all. They just said, "Yeah, but that seemed
2 to be a good word to use." And that's why they added
3 "pseudo" to it so you would know it wasn't a tumor. It's
4 basically an accumulation of fluid that's showing a body's
5 reaction to a poison that's in the body. It's a
6 noninfectious reaction of the body.

7 Now, there's another problem that we see over and
8 over in these hip implants as they're removed, and that's
9 metallosis. That's where the metal has actually caused
10 death of the, principally, musculature that's holding
11 together that hip joint, but it can also be in the ligaments
12 themselves, and so the doctors will debride or they'll take
13 out all of the tissue that's dead from this metal debris,
14 and they will put together a new hip for the person when
15 they go back in for their transplant.

16 So, epilogue. We've got these metal-on-metal hip
17 implants, and they are going, going, and gone. That's not
18 my jury argument to you Your Honor. That's from the article
19 out of *Orthopaedic Cross Fire*. "Metal-on-metal hip
20 Arthroplasty. Going, going, gone." And while this had
21 gained favor in the first decade of the millennium, we are
22 at the point now where it is out of favor. The FDA has
23 issued warnings. They've basically said, "We're not giving
24 that 510(k) approval to these anymore, and, if anybody wants
25 to sell their metal-on-metal hips, they're going to have to

1 do testing before they sell them and not on the humans that
2 are getting them afterwards."

3 Your Honor, in closing, we'll be bringing a lot of
4 experts in these cases. You'll get to see experts, of
5 course, in medicine and surgery. You'll also get to see
6 experts in tribology, that friction wear study. You'll get
7 to see experts in fluid mechanics because there's a whole
8 branch of engineering that deals with fluid and what does it
9 take for fluid to truly lubricate.

10 The idea is once -- think of an axle in a car. An
11 axle in a car is going to have grease, but that axle, as it
12 turns, you've got to have some lubrication, and the turning
13 will cause that lubrication to evenly distribute just
14 because the turning is forcing the lubrication up against
15 the flow of gravity.

16 With hip joints, you've got -- to some degree, once
17 you start moving, your body will propel some degree of
18 lubrication into that joint. The problem is, until you
19 start moving, it doesn't, and so, when you get up, you've
20 got more wear.

21 This becomes relevant because we'll have you look at
22 and we'll be discussing -- there'll be **Daubert** issues and
23 all sorts of things -- some of the science used to justify
24 these studies of how much do they wear.

25 We think that you'll see that a number of these

1 studies actually have a real human gait where the leg goes
2 up, stops, comes back, stops. You'll also see that a number
3 of the studies were written without a real human gait
4 because any time you stop, you lose fluid lubrication, and
5 you've got to start it up again.

6 So we think that there was a conscious effort -- and
7 you'll have to go through and sort this, but there'll be an
8 issue, was there a conscious effort to change these studies
9 so that the leg never stops. It's as if someone is doing a
10 figure eight and moving their leg side to side while they're
11 also moving it front to back so that that stopping point on
12 front to back is a point where it's still in movement side
13 to side, so it's kind of this figure eight thing going on.
14 And all of that, fluid mechanics. It's fascinating. You'll
15 enjoy it, maybe.

16 Metallurgy, Your Honor, is another area where we
17 will have a metallurgist talking about the different types
18 of metal alloys. Are they hard all the way through or do
19 you wind up just placing some hard pieces in the middle of a
20 softer alloy so that those hard pieces start scratching and
21 creating nanoparticles? That will take metallurgists to
22 fuss about those points.

23 Materials engineers, as well, over the materials and
24 how they've been put together.

25 Mechanical engineers will be dealing with this stuff

1 also.

2 We'll have corrosion engineers because any time you
3 take a metal product and you put it in an aquas environment,
4 which the body is, it's subject to possible corrosion, and
5 so there are some corrosion issues that we'll be looking at,
6 and we'll bring in corrosion experts, accordingly.

7 How these products are made, are they made properly,
8 and do they have the correct spacing that's needed, that's
9 precision engineering, manufacturing, and metrology. How do
10 you measure these things? How do we measure? It's
11 fascinating, Your Honor. They've got incredible ways. It's
12 not just high school calculus. They've got incredible ways
13 to figure out under a microscope -- they can do a topography
14 of one of those implants that have been removed, and they
15 can create a map that's just phenomenal where you've got
16 like Google Earth, except this is the earth, and it shows
17 where the valleys are, and all of that's got to be done in a
18 way that meets **Daubert** and all the rest of that stuff, but
19 all of those are issues you get to look at.

20 Of course, the issues of biology, nanoscience,
21 toxicology, is it poisonous, or what have you.

22 Those are the issues that we think you've got.

23 Those are the terms on a cursory basis.

24 You've been most patient with me, and I thank you,
25 Your Honor.

1 **THE COURT:** Okay. All right.

2 **MR. WINTER:** Just to give us some background of
3 where we are today, there are approximately 450 cases in
4 this MDL, and we have a breakdown based on the complaints as
5 to what device is involved, but I think, Your Honor, as we
6 get fact sheets and other information, almost a third of the
7 cases don't even allege a revision, and we have a good
8 percentage of the cases where the implant occurred before
9 2006, which we believe is a state-of-the-art line in the
10 sand, and we have another group, almost ten percent, where
11 you'll see that, after a great deal of publicity about
12 metal-on-metal, they were implanted, and then we have,
13 roughly, eighty cases, Your Honor -- and I think it's going
14 to be important once Dr. Klaassen finishes -- where the
15 patient was revised in less than eighteen months or was
16 revised after nine years, and there's a lot of explanation
17 that will go into those, but we think those cases are going
18 to have to be put to the side for a couple of important
19 reasons.

20 The three products that you have, the first is the
21 Taper, which was cleared by the FDA through a 510(k) process
22 in 2000, but the clinical studies on that product, in fact,
23 started in 1995 as part of an investigational drug exemption
24 for a possible PMA application, so there were approximately
25 150 or so patients in that clinical study with the Taper.

1 The different aspects of it are laid out there, Your
2 Honor -- we'll get back to that -- but it's cobalt chromium
3 with a Taper metal liner, a couple of different sizes.

4 The next product was the M2a-38. That is a
5 monoblock design, and it's got, pretty simply, a larger
6 head, and that will be explained to you, why that's
7 important, and it's got a special fixation system, which,
8 when we talk about cup placement, that's important.

9 And we have the Magnum, which involves maybe
10 two-thirds of the cases that are currently pending before
11 you. It was cleared by the FDA in 2004, and it's got a
12 number of features, which we will get to, Your Honor. Very
13 simply, we do believe that the design of these devices is
14 very important, and when you see the data and how the Magnum
15 is designed, it is a reliable, safe, and dependable product.
16 Here's another view of the Magnum.

17 In 2006, to assist in fixation, there was this --
18 the three spikes that were added. Again, that's something
19 that the surgeon, in the exercise of his or her clinical
20 judgment, says, "I want the Tri-Spike." And a lot of the
21 features here, surgeons say, "I would like this for
22 fixation." You have to go out, design it, and test it.

23 And to follow up on a point from Mr. Lanier, before
24 this product is out the door, its sphericity, its outside
25 curve, is tested through a hundred thousand inspection

1 points to make sure it is true 180 degrees for the
2 hemisphere, which we think is very important, and it's also
3 something that distinguishes the design of the Biomet
4 metal-on-metal products from others.

5 There are other metal-on-metal products, which we
6 don't have in this litigation. Some were never sold in the
7 United States.

8 And, later on today, we'll have argument on the
9 ReCap, which was an IDE device where the PMA was submitted
10 to FDA in 2011, and we'll talk about that.

11 Very quickly, we think that these design features
12 are what set the Biomet metal-on-metal products apart, and
13 I'm going to turn it over to Dr. Klaassen.

14 But I want to stop here for a second, Judge. No one
15 will ever accuse anyone of cherry-picking data, but what we
16 have here is the overall revision rates per 100 observed
17 component years, an accepted standard by all the registries
18 and FDA, to look at how well devices perform. This is for
19 all the Biomet metal-on-metal products sold in this country
20 compared to metal-on-poly, so I'm comparing our
21 metal-on-metal to available data that came out, I believe,
22 in March of this year from the New Zealand Registry -- we
23 don't have a U.S. Registry, so we have to compare "X" U.S. --
24 and to the Australian Registry. And if you look at either
25 the revision rate or the revisions per 100 observed

1 component years, comparing Biomet's metal-on-metal to what
2 we anticipate the Plaintiffs will say should compare to
3 metal-on-poly, they're comparable, if not better, and this
4 is long-term data over many, many years.

5 So, with that, I want to move -- and I'll come back
6 to this, Judge -- to Dr. Klaassen.

7 **DR. KLAASSEN:** Thank you.

8 I'm an orthopedic surgeon.

9 **THE COURT:** What's your first name?

10 **DR. KLAASSEN:** Mark.

11 **THE COURT:** Spell your last name.

12 **DR. KLAASSEN:** K-L-A-A-S-S-E-N.

13 **THE COURT:** Thank you.

14 **DR. KLAASSEN:** So, I'm an orthopedic surgeon. I do
15 primarily joint replacement, and I'm going to be, I guess, a
16 resource for you. And so if you have any questions, feel
17 free to ask, and we can talk about different things.

18 I'm going to run through some of these slides. And
19 if we need to go different directions, just let me know.

20 Total hip replacement history. I heard a little bit
21 that was said earlier. I mean, over the years, joint
22 arthritis has been one of the biggest problems with
23 mobility, and we need to move in order to keep our bodies
24 healthy. And if you can't move, then your heart, your
25 lungs, everything starts going bad, so mobility has been

1 great.

2 And, of course, I'm a little biased, but, as an
3 orthopedic surgeon, I would tell you that one of the biggest
4 advances in medicine has been joint replacement. And if you
5 look at quality of life benefits of having a joint
6 replacement -- I see it every day in my office, and it's a
7 very rewarding experience to see people do very well with
8 their joints, and you basically change their lives. I
9 think, usually, they rate two things in the highest amount
10 of cost per value -- in other words, the value of the
11 quality and cost to the system -- and, usually, it's the eye
12 surgery like Lasik and joint replacement.

13 At any rate, total hip replacement history. Back in
14 the early times, they tried ivory, they tried
15 metal-on-metal, stainless steel, Plexiglas. We heard about
16 Teflon. Teflon did not work. It wasn't until Charnley got
17 into the polyethylene that we started seeing some successes.
18 And ceramics also were tried. They've had some problems.
19 When ceramics break, it's a disaster.

20 So a lot of different things have been tried over
21 the years, and I can tell you that no bearing is perfect.
22 We have not, with manmade materials, been able to replicate
23 what God gave us, and so these things have been a struggle
24 over time, but when what God gave us fails, these things do
25 tend to help significantly.

1 Okay. So what do we, as surgeons, expect? One of
2 our biggest concerns with any surgery is infection, blood
3 clots early on, and then long-term is longevity and wear.

4 So infections. The average, across the country --
5 and I just looked at an article -- in 2009, the average
6 infection rate was .67 to 2.4 percent. I can tell you that
7 at our hospital, the latest infection rate I looked at for
8 just joints was .4, and that's really, really pretty good.
9 But across the county, you'll find, you know, one, two
10 percent rates.

11 And in a revision rate, you know, what's an
12 acceptable revision rate? Well, if it's my hip, it's zero,
13 but things happen and things don't always work, and so kind
14 of the thought over the years has been a revision rate of
15 one percent per year. So at ten years, you'd expect that
16 ninety percent of the hips would be survivals. So, you
17 know, we all try to do better than that, but that is
18 certainly kind of a standard.

19 And the early failures, less than eighteen months
20 after implantation, generally infection is our biggest
21 worry. Anymore, with modern implants, it's usually not an
22 implant problem unless there was technical problem putting
23 it in. And there are other reasons, too: The leg was too
24 long, it dislocated, pain, and sometimes we don't know why
25 it hurts or why it didn't work. But, generally, we don't

1 see, in today's technology, an implant failure in the early
2 period.

3 So metal-on-metal. Early on -- you know, we heard
4 about the McKee-Farrar and some of the others, Sivash, Ring,
5 Muller -- there were a number of metal-on-metal implants
6 that were tried, and they did not, in general work, but some
7 worked surprisingly well, and we weren't sure why some
8 worked really, really well and others not.

9 Then poly came around and so we went to poly, and
10 then as we got into using joints in younger and younger
11 people, now we're at a situation where poly is breaking and
12 poly is wearing out.

13 I like to think of it as, you know, my boat lift.
14 If you have these polyethylenes, plastic that these runners
15 run on, and those things wear out, and they create grooves
16 and wear out. Whereas, the metal-on-metal, like a piston in
17 an engine, theoretically goes through millions and millions
18 of cycles and does not necessarily wear out. So from that
19 standpoint, it was an attractive thought of metal-on-metal.
20 So in the 2000s, we started back into exploring this
21 metal-on-metal.

22 And some of the problems we had with poly was, in
23 order to do a total hip and get stability because of the
24 anatomy, because of the mechanics, you had a metal ball, a
25 smaller metal ball, and a metal cup with a plastic insert,

1 so the balls had to be smaller, and so those were more
2 likely to dislocate, and we were more likely to make legs
3 longer in an effort to tighten up the muscles to prevent
4 dislocation, and one of the things patients absolutely hate
5 is having a leg that's too long.

6 So from a surgeon's standpoint, it was very
7 attractive for me to look at this and go, "Okay. If I can
8 use a bigger head and use a metal-on-metal type of
9 situation, and use this in my younger patients, then maybe I
10 can get a hip that's going to last longer than the fifteen,
11 twenty years."

12 So second generation came around, large diameter
13 total hip arthroplasties, and, you know, we still
14 developed -- and we started using these, and there's still
15 that nagging concern. We, as surgeons and as doctors, have
16 always worried about metal ions. I don't think that any of
17 us have been nonchalant about that. We always worry about,
18 okay, what's metal going to do in people's bodies long-term.

19 On the other hand, you know, I look at situations --
20 I mean, I have metallosis situations fairly often with
21 polyethylene, situations where the poly has failed, and so
22 once they have fractured this plastic and, all of a sudden,
23 they're wearing into a metal-on-metal situation, which is
24 not a congruent situation, you get really accelerated
25 amounts of black tissue. And it's very impressive when you

1 open a hip -- and I think I saw a picture in one of the
2 other presentations -- where you open a hip and you have
3 this layer of just black just from debris. So those things
4 are certainly concerns and worries in terms of the metal ion
5 sensitivity.

6 We've tended to not necessarily use them in people
7 that have kidney problems. We've tried to stay away from
8 younger women that are going to have kids because we don't
9 really know -- and we don't think -- there's no evidence
10 that it crosses the placental barrier, but that was
11 something we always worried about, as well.

12 So from the first generation metal-on-metal -- this
13 is, actually, I think, an article by Schmalzried in
14 California -- they estimated the combined linear wear of
15 acetabular and femoral components in a McKee-Farrar -- and
16 this is, obviously, one that did very well -- at twenty
17 years, was 4.2 micrometers per year, and that's with old
18 metallurgy and old metal.

19 And then they looked at a Charnley metal-on-poly --
20 and, again, this is old poly, and all these things have been
21 improved over the years -- and, at twenty years, they
22 reported an average rate of .1 millimeters per year, so, you
23 know, there's significant difference and significant amounts
24 of wear.

25 So why did surgeons stop in the early '70s? Well,

1 Charnley's prosthesis was seemingly better. We were worried
2 about the problems. We think that the failures occurred
3 because of deformation of the metal and rubbing against the
4 metal.

5 Carcinogenesis. That's cancer causing. We were
6 concerned about metal sensitivity.

7 Infection rates. Infection rates are hard to
8 determine from back then, but there seemed to be a higher
9 infection rate.

10 And the question of increased strain on the bone.
11 The bone has to hold the prosthesis.

12 So the metal-on-poly bearing surfaces. You had the
13 Charnley hip in the '60s. You had some disasters in the
14 '80s. Hylamer was one of the first tries at doing some
15 crosslinking, and it failed rather rapidly, and that created
16 some concern about poly. Heat-pressed polys. Some of the
17 second generation polys seemed to do a little bit better.
18 In the '90s, we started getting into oxidized and Highly
19 Crosslinked poly, and now we have this ultrahigh Highly
20 Crosslinked poly. And, again, there's no bearing that works
21 perfectly. I can tell you that with some of the ultrahigh
22 High Crosslinked polys, you still can get some oxidation.

23 If you look at -- and by "oxidation," plastic rusts,
24 so to speak, much like metal can rust or corrode, and what
25 plastic does, if you think of a plastic part, piece, or

1 whatever that you have around your house that is old, it
2 starts to kind of get white, and you start getting some of
3 that white, chalky look, and it starts getting brittle, and
4 that is some of the oxidation that we see. And in a human,
5 it's a high-oxygen environment, and some of the Highly
6 Crosslinked polys, there's been some issues, so now we're
7 throwing Vitamin E into the mix to try to stop some of those
8 free radicals that occur in the Highly Crosslinked poly from
9 binding to oxygen and creating some of that oxidation, so
10 it's like oiling the metal. We're putting Vitamin E on the
11 poly.

12 So technology keeps getting better, and we're
13 getting smarter, but we've had some issues along the way, as
14 we've learned.

15 And, also, the other thing that's always interesting
16 is what happens in the lab isn't always what happens in the
17 human body, and sometimes we don't figure that out until
18 later.

19 So metal-on-poly was used for many, many years.
20 There was some issues with oxidation.

21 I've seen some really interesting things. For
22 example, if I did bilateral knees on somebody -- and we use
23 poly in knees -- and you have them done on the same day, and
24 one polyethylene component at ten, fifteen years is
25 absolutely destroyed and the other one still looks pretty

1 good. And why is that? Well, was it a technical problem?
2 I think we're finding that some of it could be a technical
3 problem. Some it could be edge-loading type of thing. But
4 also some of it is because of shelf life of the
5 polyethylene. We discovered, over the years, that if poly
6 sits on a shelf for a while, we get into trouble with it
7 oxidizing, and it just wears out quicker.

8 Osteolysis. We heard a little bit of osteolysis.
9 Osteolysis is where the bone kind of disappears, and it's
10 the body's reaction to debris, whether it be poly or whether
11 it be metal.

12 I've seen some horrendous osteolysis type of
13 situations with polyethylene.

14 I've seen metallosis. When you see metallosis, it
15 is a scary thing to see. You know, your bone literally
16 skeletonizes, and you get this gray-looking appearance to
17 the muscle, and, as a surgeon, it really does scare you.

18 But metal is black. Poly also kind of gives you a
19 white -- is white, and so you don't see quite the particles
20 like you do with the black, but you also see this kind of
21 tan-ish looking membrane that is very much a destructed
22 membrane, as well, and you can get into some osteolysis.

23 So we heard a little bit about tribology and the
24 study of mechanics, lubrication, friction, and wear.

25 You know, in cars, we have lubricating oils. In

1 humans, we have proteins. And our bodies each produce
2 lubricants. Our joints all have lubricants that are natural
3 lubricants and proteins that help lubricate the body. And
4 we see that in joint replacements, as well, and they produce
5 the boundary lubrication, and that's, theoretically, what
6 then protects the device against some of the wear.

7 So the benefits of metal-on-metal systems, you know,
8 on a metal-on-metal hip, the advantages I see of a
9 metal-on-metal hip -- and, here, I've got an example of a
10 metal-on-metal hip -- is, from a dislocation standpoint,
11 early on, if you've ever known somebody -- I'm sure
12 everybody in this room probably has known somebody -- that's
13 dislocated a prosthetic hip, it is not fun. But with a
14 smaller ball, your jump distance and your distance to
15 dislocate is much less than it is with a larger ball. With
16 a larger ball, it's got to go a lot further before it
17 dislocates. Of course, we don't want it going on edge in
18 either situation because if I'm going on edge on the
19 plastic, I'm wearing the plastic. If I'm going on edge on
20 the metal, I'm creating a stripe wear type of situation,
21 which I don't want either. So that was one of the benefits.

22 The other benefit is, if I look at what my computer
23 knows my hip looks like -- and here's an example of a
24 femur -- if I can reproduce the size of that femur very
25 similar to what my computer is used to doing, I will recover

1 quicker. That is why I think resurfacings, actually, do
2 better. I can tell you that if I do a resurfacing hip, even
3 though I have, perhaps, done a little more soft tissue
4 damage in order to dislocate that hip to work the acetabulum
5 and also to do a resurfacing hip, those resurfacing hips
6 will go home the next day, and they, seemingly, do really
7 quite well.

8 So large diameter heads have helped us,
9 theoretically. And, again, this gets down to the
10 engineering. What we've been taught is that the wear is
11 less with the larger area, and that makes some sense because
12 you're distributing the wear over a larger area.

13 The metallurgy on these things is important, and I
14 think that was touched on a little bit, but the metallurgy
15 is important in terms of having large carbides. And if you
16 look at it under an electron microscope, it bounces over the
17 top of these kind of boulders of carbides. And if you have
18 large carbides, you don't get down into the alloy and get
19 some of the wear particles, so the metallurgy is important,
20 as well.

21 Larger diameter heads have certainly showed less
22 wear, and you can actually take -- and this was always
23 interesting -- take a pile of plastic debris and a pile of
24 metal debris, and the pile of plastic debris is certainly
25 much, much larger, and that makes sense. I mean, clinically

1 and theoretically, it makes sense because you're going to
2 get more wear in terms of volume.

3 So the other advantages of the large diameter heads
4 was the increased head/neck ratio, and that got away from
5 impingement so that when this comes down, it doesn't hit on
6 the side and cause it to dislocate, so you have a really,
7 really great range of motion with these larger heads.

8 I think we talked about increased jump distance and
9 a lower risk of dislocation. So when I have a lower risk of
10 dislocation, now I can pay more attention to the leg length
11 because I'm not so concerned about making it so tight by
12 lengthening it, so I have happier patients because my leg
13 lengths are, certainly, a lot easier to make equal.

14 So cup positioning is important, and we probably
15 didn't appreciate this at first, that cup position was as
16 important as they are with these hard bearings. And by hard
17 bearings, I'm talking about metal-on-metal and
18 ceramic-on-ceramic. But cup positioning is important for
19 getting coverage and to get areas so that the primary
20 bearing area -- the primary bearing area is on the polar
21 part of this and it is contained within the cup so that if
22 you have the cup positioned in a vertical fashion with a
23 high degree of inclination, now we get into trouble.

24 And, early on, we probably didn't realize how
25 important that was. Kind of the standard over the years has

1 been forty degrees of inclination with twenty or fifteen
2 degrees of anteversion, and what that means is -- you know,
3 here's a pelvis. And if I have about forty degrees of
4 inclination, you know, and I'm about there -- and anteverted
5 means that I'm forward about fifteen to twenty degrees --
6 that has always kind of been the standard. With
7 metal-on-metal, towards the later years, we tended to go
8 more and more coverage because we want to have it more
9 hooded to get into less of that situation where we might
10 have an edge loading of that prosthesis.

11 **THE COURT:** You're reducing the angle of elevation
12 by bringing the top forward?

13 **DR. KLAASSEN:** Correct, so that it's more covered,
14 so we're taking and we're covering this so we're not
15 getting -- what we don't want is this, where you're just
16 wearing it, and that intuitively makes sense, you know,
17 because regardless of what fluid lubrication or oil
18 lubrication you have, if you're right on that edge, we're
19 going to be in trouble.

20 But it's not that simple, either. Sometimes the
21 anatomy varies. And if people have dysplastic hips, which
22 means they have some developmental issues, that may be why
23 they developed the arthritic changes.

24 My fifty-five-year-old with an arthritic hip, unless
25 they had an injury, a lot of them are dysplasia, which means

1 that, in their development, their cup is too shallow or the
2 cup is too vertical.

3 And then, as a surgeon, I'm in there, you know, and
4 I have a patient on the side -- or if I'm doing an anterior
5 approach, I have a patient supine -- and I'm trying to
6 figure out what works best, and there's different methods of
7 figuring that out. We have instrumentation that shows us,
8 and we have to look at the body alignment. We put people
9 in a -- if we're doing them on the side, we have pegs and a
10 peg board to try to hold them as straight as we can. But
11 you can get some of this type of motion that sometimes is
12 very difficult to appreciate.

13 Even with X-rays -- and, many times, I still even
14 use X-rays in an operating room -- it is not always that
15 simple to know exactly where the best thing is, and what's
16 best may be what's best for the person, because if this
17 angle and rotation is different, that can also affect where
18 I want to put the cup because I want to match the cup with
19 the stem.

20 And so now we're talking about combined version,
21 which means looking at where we're going to put the femoral
22 component, as well as where we're going to put the
23 acetabular component, in terms of meeting those, because you
24 can't just arbitrarily say, "Okay. Your cup should be
25 forty/twenty," because the anatomy may be different. The

1 femoral side may be rotated differently. And so, as a
2 surgeon on this -- this is the art of being a surgeon --
3 you've got to kind of match those things and make it work.

4 So cup placement is very important, and so now we're
5 thinking that with these hard bearings -- and with hard
6 bearings, ceramic-on-ceramic, metal-on-metal -- we need to,
7 perhaps, hood them a little bit more.

8 And, you know, as a surgeon, you know, I've probably
9 done a couple thousand metal-on-metal hips, and I've had one
10 particular situation of metallosis that was scary.

11 I've had some cups that have spun, and I think I see
12 that early on, where you put in a cup, especially in women,
13 and, perhaps, the bone isn't strong enough to grow in
14 quickly or hold with these little fins. And if the cup
15 spins, now, all of a sudden -- and by spinning, I mean the
16 cup moves -- and now, all of a sudden, the cup is more
17 vertical, and, now, all of a sudden, you've got a situation
18 where we're in trouble. Hence, I was one of those surgeons
19 who likes those spiked cups because I can drive those in,
20 and I know those things aren't going to move.

21 So let's talk a little bit about clearance. One of
22 the problems with some of the designs of the cup was that,
23 if the cup is too thin and I'm putting it in a football
24 player who destroyed his hips and he's got real, real, real
25 strong bone, and I'm driving this thing in, the bone,

1 actually, may deform the cup. If the bone deforms the cup,
2 now we're going to be in trouble, and I'm not going to know
3 that in the operating room probably because it's going to
4 feel okay. But if we create the problem right there, where
5 there's too little clearance and equatorial contact, that's
6 when we start getting into trouble. And that makes sense
7 because now we're scratching the edge rather than bearing on
8 the polar area, and that leads to loosening, increased wear,
9 you know, problems like that.

10 Too much clearance. You don't want the
11 baseball-bat-in-the-trash-can type of situation either
12 because if I have this kind of a situation -- and this is
13 exaggerating a little bit -- if I have this kind of
14 situation where this is moving around, that's not going to
15 wear well either, so we don't want too much clearance.

16 And here's where I depend on the engineers. What I
17 want is the optimum clearance, which is adequate loading on
18 the polar area, enough room on the sides to get the
19 lubrication in.

20 And I was playing with this a little bit ago, where
21 you actually can take this -- and these two are mated
22 together. And if you feel this, it's very, very, very
23 smooth (indicating).

24 So let me show you something. If I take -- I was
25 just doing this. And if I just lubricate this a little bit,

1 you can actually feel it. It actually will almost suck in
2 there. You can actually let it almost hang there.

3 **THE COURT:** Yeah.

4 **DR. KLAASSEN:** And that lubrication is what
5 lubricates the joint.

6 **THE COURT:** Does the body produce the lubrication?

7 **DR. KLAASSEN:** Yeah, your body does.

8 **THE COURT:** I mean with this configuration?

9 **DR. KLAASSEN:** Right.

10 With all the joints, with knees, shoulders, hips,
11 your body produces some proteins and lubricates, and it
12 looks like -- when I open up a well-functioning joint, there
13 is maybe five CCs or less of light-oil feeling fluid.

14 So lubrication theory tells us that smaller
15 clearances result in increased fluid film thickness and
16 lower wear. If you have too little clearance, you get into
17 trouble. And so if you get any kind of deformation of cup
18 or design issue, then you get into problems, so I think we
19 talked about this, increased clearance and diminished
20 clearance.

21 So wear debris, you know, we see this in everything,
22 every bearing we have, and nothing that we have made has
23 been perfect. But your body does not like particles in
24 large volumes, and so, with plastic, we see -- with the
25 polyethylene, we see debris, and that creates osteolysis.

1 We see pseudotumors with polyethylene. I've seen huge areas
2 of bone destruction, and then you'll see other people that
3 have none of this, which is always interesting. They'll
4 have almost as much wear but do not have the osteolysis type
5 of situation.

6 We now are seeing this with metal-on-metal. You
7 know, we're seeing -- especially one where there's metal
8 debris. You're starting to see some of these.

9 Now, we haven't been putting these in for a long
10 period of time, so we have about a ten-year history of
11 metal-on-metal, so we don't really know what twenty years
12 and thirty years and forty years down the road are going to
13 do, but I can tell you that I have a lot of very
14 well-functioning metal-on-metal hips. Now, I don't know if
15 twenty years from now I'll be able to tell you that.

16 So the osteolysis and debris creates bone loss and
17 it can then cause loosening, and that's a problem.

18 Three reasons for edge wear impingement. The first
19 picture there is actually a picture of a resurfacing. So on
20 a resurfacing, what you do is you shape the ball into a peg
21 so that then you can place a ball that's a cap that kind of
22 goes over that, so we keep the bone. And in space, we keep
23 the same orientation that your computer is used to doing.
24 That's why I think my resurfacing people feel so normal
25 after I've done a resurfacing type of bone.

1 But you have this large neck, so that can,
2 certainly, impinge on the edge of the cup in a resurfacing
3 situation. It is their natural neck, but you certainly can
4 get impingement.

5 Usually, we don't see impingement in this type of a
6 situation, unless there's a malposition, because just think
7 how far that would have to go before it would hit that
8 skinnier neck, and so that allows a large range of motion.

9 Micro separation. And, here again, I'm back to some
10 of this is very difficult to control. We try to
11 anatomically reproduce what is best for this particular
12 patient, and we have all these different sizes, but it's not
13 always perfect, and some people have different gaits and
14 different problems. And if they're walking and they start
15 doing some of this (indicating), that's a problem with
16 almost any bearing.

17 Now, the poly is more forgiving for a while, but
18 you're going to wear it out, and you're going to destroy it.
19 The metal and the ceramic are not very forgiving for that
20 type of a situation.

21 But let's say someone has a back problem and has a
22 problem with neurological control of their muscles because
23 they've had a disc, so some of the muscles that control
24 their gait aren't working as well or are weaker, well, they
25 may, actually, get a little bit of piston, a little bit of

1 that type of a situation, so there's a lot of things that
2 can happen in person that aren't necessarily always thought
3 about ahead of time.

4 Edge loading. I think we've kind of beat that one
5 quite a bit. Again, that's when we're more like in this
6 situation, and that's a situation we try to avoid with any
7 kind of bearing.

8 So the top there, left, we have the diagram of a
9 well-functioning hip. Edge loading. Again, we want to stay
10 away from edge loading in anything, whether it be poly,
11 whether it be ceramic.

12 Ceramic -- and I know we're not talking about
13 ceramic, but ceramic -- if ceramic breaks, it's a total
14 disaster because it's like shards of glass that go through
15 all the tissues, and I can't take enough of the tissue away
16 to get rid of all the shards of glass.

17 So the problem then is revision. If I put plastic
18 in there, if I put a plastic component in there, and I have
19 shards of glass, it's just going to eat away my plastic. If
20 I put metal in there, I'm going to end up with metallosis,
21 so my only option is to go back to ceramic-and-ceramic, and
22 then I'm still going to be producing a wear machine. So if
23 you get a ceramic disaster, it is an absolute disaster. I
24 have no good recourse for that.

25 Getting back to this. Steep inclination, you want

1 to stay away from that.

2 Reduced coverage, some -- and this is a design
3 thing -- some of the cups cover more of the ball. Some are
4 shallower cups, so to speak, and those can create some more
5 problems, and so you want to get good coverage. Yet, you
6 don't want to get into a situation where you're impinging,
7 so you try to find that happy medium.

8 So Taper. And here's the other thing is that what
9 we're finding now is that, you know, we see some metallosis
10 in people with any kind of bearing, and it's not related to
11 this bearing, but, rather, to this situation where the
12 metal -- this is a Morse Taper, okay, and the ball is like a
13 cold weld.

14 So we've gotten very fastidious in surgery. In
15 surgery, I wipe this off. I clean it off. I try and make
16 sure it's totally dry. I have no debris in it. None of my
17 staff touches the inside of this. And I'm very careful
18 about putting this on and then pounding it on. Basically, I
19 have a cold weld because what I want to prevent is anything
20 here that might cause this kind of motion because that will
21 create corrosion, will create metallosis totally different
22 than this. It's the same problem, just a different
23 mechanism of creating that.

24 And what we're seeing, more and more, with all of
25 this interest on metal-on-metal is the corrosion of this

1 Taper is a problem in almost any type of situation. There
2 are some modular designs where you actually have the stem
3 and then you have the middle part here to create the
4 appropriate anteversion and then the trunnion, so you
5 actually have a modular situation here, a modular situation
6 here. And some of those have been disasters, as well, and
7 we've only learned that after, you know, putting them in
8 people and going through this. But, again, what we were
9 trying to do, in those modular designs, is try to get
10 something that works best for a particular person and to
11 reproduce anatomically.

12 So Taper corrosion has been a problem. Every time,
13 I do a revision, you know, generally, the stem is well fixed
14 with modern components, and I pop off this head. And in
15 popping off this head, invariably, I mar the Taper a little
16 bit, and then I'm cleaning it off and everything.

17 But I have a decision to make at that time in
18 surgery. Okay, do I take this all out and do I split this
19 femur, take a saw and split this femur in order to get the
20 stem out and create all that trauma and injury or do I try
21 to get the best fit and, hopefully, get a good weld? And
22 most of the time, this is what we do because it's such a big
23 deal to take everything out.

24 So the Morse Taper is becoming more and more -- in
25 our Hip and Knee Society, which I'm a member of, we're

1 dealing with this more and more, this whole Taper corrosion
2 type of concern in creating a metallosis type of situation.

3 So metallosis, adverse reaction to metal debris,
4 these are a bunch of terms. I mean, that's kind of self
5 explanatory.

6 This ALVAL is a scary thing. This is the aseptic,
7 which means no infection, lymphocyte-dominated, which is a
8 cell, vasculitis-associated -- in other words, a lot of
9 inflammation and a lot of vascularity -- and this is what
10 creates these things called pseudotumors.

11 And pseudotumor is basically -- you know, when we
12 see a mass in people, we worry about cancer. Well, this is
13 not a cancer. It can look like a cancer sometimes because
14 it just destroys things, but it's a pseudotumor. In other
15 words, it's an anti-inflammatory reaction. And we see it
16 with metallosis. We see it with polyethylene. You can see
17 it with any type of particulate debris where the body
18 reacts.

19 Now, not everybody gets these, and it's always
20 interesting to figure out, and we don't really know why not
21 everybody gets these. But pseudotumors have been seen with
22 different types of situations, and, generally, they're
23 treated with surgery because if you just leave it, more and
24 more your bone disappears, and it becomes harder and harder
25 for me to revise it and change it into something that will

1 function because I need a base on which to put any kind of
2 prosthesis.

3 Women, in particular, with metal sensitivity. Women
4 tend to use jewelry all their lives and so they tend to be
5 sensitized a little bit more to metal, and, also, their
6 bone, in general, is more osteoporotic because they've had
7 children, go through menopause, and so their children have
8 cannibalized their skeleton over the years, and so the
9 women's bones tend to be softer, and so it is a little bit
10 more problem in terms of fixation.

11 Osteolysis, as we said, can be seen with
12 polyethylene. It can be seen -- it's a cell mediated
13 response, and the inflammatory situation can dissolve the
14 bone and loosen the components, and that's a problem. If we
15 loose mechanical fixation, we have pain, we don't have a
16 functioning prosthesis. We have to do something about it.

17 So hypersensitivity to metal ions. You know, this
18 gets into the immune system. Type IV means that there's a
19 delayed cell mediated response. Type I is like poison ivy.
20 You get exposed, and then the next time you get exposed you
21 have an almost immediate allergic reaction.

22 The body likes titanium. Cobalt is probably the
23 most toxic of the cobalt chromium, and not everybody is
24 sensitive to it. We try to pick -- our manufacturers have
25 tried to pick metals that are body friendly. The bone likes

1 to use titanium. That's why all these components are
2 covered with a titanium spray because what happens is your
3 bone grows into this microstructure.

4 And I like having components that are biologically
5 fixed versus components that are cemented. If you think
6 about cement -- and cement works very well in knees, okay,
7 but in hips we pretty much almost have gone away totally
8 from cement, unless someone really has bad bone or cancer.
9 But, with bone, if we can get the bone to ingrow into this
10 prosthesis, and, let's say, they jump off their truck or off
11 a curb and they break little spiculas of bone, that bone can
12 heal and regrow. If I have cement and I crack the cement,
13 well, my cement is cracked, and I really can't -- that's not
14 going to change over time.

15 So skin testing, you know, the dermal sensitivity,
16 the costume jewelry. We already talked about that,
17 particularly in women, so we're much less likely to put
18 metal-on-metal in women anymore because of that situation.

19 Our current recommendations in counseling patients
20 is that -- and I can tell you I would have a hard time
21 getting my asymptomatic patients to come in and have all
22 kinds of testing and that type of thing, but what we're
23 looking for is anybody that has pain or if they're having
24 any symptoms whatsoever, I want them to come in, and we're
25 going to get an X-ray. If I see anything on the X-ray that

1 concerns me or if they're really complaining, then I'll get
2 what's called a MARS MRI, which is a special MRI that kind
3 of subtracts the metal, because MRIs work by magnets, and,
4 as you can imagine, metal distorts the image, so we get a
5 special MARS MRI, and then I'll do -- if it's a
6 metal-on-metal situation, I will do cobalt chromium levels.

7 And there's lot of debate in the literature about
8 what is the right number, but kind of the standard that's
9 thought of right now is about seven parts per billion. If
10 it's more than seven parts per billion, then we're probably
11 going to follow it on a regular basis, and, if it becomes
12 symptomatic, worry about it more.

13 If it's less than seven, we're going to worry about
14 it less. Some guys are getting more conservative and saying
15 four parts per billion is the number, but somewhere in
16 there, between four and seven, is where we start getting
17 worried, if there's a problem.

18 So routine blood work. We're always worried about
19 infection. I can tell you that when you see a situation,
20 metallosis, it looks like infection, but you won't grow
21 anything. We're always worried about infection. So, SED
22 rate -- these are blood tests -- CRPs are done.

23 Again, the X-rays, the special MRIs, the Metal
24 Artifact Reduction System.

25 The joint aspiration. You know, you aspirate the

1 joint just to make sure there's not infection because
2 infection is probably a more likely situation and, actually,
3 many times, more fearsome because it's sometimes very
4 difficult to get rid of the bacteria once you have an
5 established infection.

6 So, you know, just to tell you a little bit about
7 the hip replacement, what we do with a hip replacement, if
8 they're lying on their side, is we take the ball off, and
9 then you actually can dislocate this, and you can work the
10 cup, and then you can work the stem. The stem, what we do
11 is we take the stem forward, and in this type of situation,
12 we can get down the shaft.

13 If I'm doing an anterior approach, you really don't
14 do any different with the anterior approach. One of the
15 advantages of this new approach, actually, is actually where
16 you take the neck, cut it off, put a little traction here,
17 work that, move the leg over, work this, and put it back in,
18 so there's not necessarily a huge amount of trauma,
19 soft-tissue trauma, moving these things around.

20 You know, this is the type of stems that we use, and
21 these are sized to the patient. We have digital templating
22 that we do before surgery, and so I have an idea of what the
23 size is, but I want to make it fit perfectly, and I want a
24 tight fit because I want the bone to ingrow into this.

25 I don't have as much control where the stem goes

1 because that depends on the anatomy of the bone. I mean,
2 it's going to go kind of where the bone directs it. The
3 problem now is that then I have to kind of match my cup
4 because this may not go down in exactly that same
5 orientation that that ball was. I mean, it may be a little
6 bit different, and I think that has something to do with
7 patients' computers kind of reprogramming themselves.

8 What I tell my joint patients is that, you know, "It
9 will take you about a year" -- and some are faster and some
10 are slower -- "before your computer reprograms itself, and
11 what I want is and what you want is a joint where you forget
12 that you have an artificial hip, forget that you have an
13 artificial knee." To me, that's a well-functioning joint.

14 Questions? Anything?

15 **THE COURT:** I think I understand.

16 Thank you for the presentation.

17 **MR. WINTER:** What's your pleasure, Your Honor?

18 **THE COURT:** I don't know. I guess we probably, for
19 the sake of your presentation, ought to break, I would
20 think, so you don't have to interrupt in five minutes.

21 **MR. WINTER:** Okay.

22 **THE COURT:** So we'll go ahead and break.

23 Again, I've got a brief thing at 1:00. Why don't we
24 plan to restart at 1:15.

25 Is it by telephone, what we have?

1 something, around 2003, that an independent researcher in
2 England said, "This is the way to go." So as we walk
3 through this, you're going to see the continuum that both
4 sides have laid out for you, starting in the 1930s to the
5 1950s to the '60s, '70s, '80s, and a constant process of
6 innovation, testing whether the innovation and its design is
7 satisfactory, and then still learning and then adapting to
8 new science, new understandings. And we think, at the end
9 of the day, when you look at the data on the Magnum and
10 compare it to the science, it is a very good, safe, and
11 well-proven design.

12 I had stopped just before this slide, and, very
13 quickly, I want to give you a framework because there was
14 some references to FDA clearance, and there is a very
15 robust, you will learn, regulatory oversight to these
16 devices, both here by the FDA and by international
17 standards. And what happens is these international
18 standards and national standards -- the national one is the
19 ASTM. The international one is the ISO. Everything that a
20 company does has to focus on one of these standards, to the
21 amount of metal that you can use to say it's cobalt
22 chromium, to the type of testing you must do to validate
23 your product in terms of mechanical testing. And we'll get
24 into whether someone was doing a figure eight or not, but
25 these are very rigorous standards that everyone tests to.

1 So when they submit their data to the FDA, they say, "We
2 have complied with ASTMFO4-" -- you know, I'm going to make
3 up a number -- "173," and there is testing and data that
4 would substantiate that. And it will permeate all of the
5 issues that you see, that there is this overview of a system
6 and it's peer reviewed. Hundreds of thousands of engineers
7 get input into this, and these standards get revised over
8 time, as additional information is learned.

9 And just, very briefly, here are the officers, I
10 believe, as of 2010 or '11, of that ASTM Medical Implant
11 Group. And you can see it's a cross-section of scientists
12 both from industry, FDA, and academia, and they create these
13 standards, and everyone has to test. And even the
14 specifications for how smooth a polish you have to have on
15 your device meets one of those standards, so it's a very
16 rigorous review process, and everyone can get input into it.
17 So if someone in the community thinks something needs to be
18 revised, there's a process, and they go through it. And
19 then there's a revision to the standards, and then everyone
20 tests appropriately. In terms of a parallel, there's FDA.

21 And, as I said earlier, our Taper device started as
22 an IDE device. We started clinical studies in 1995. In
23 1999, we submitted that 510 clearance application to FDA.
24 They actually asked us questions regarding the submission.
25 We filed a response, and then they cleared it. And then,

1 about a year later, we made a submission for the 38s. That
2 got cleared. And along the way, you'll learn that FDA had
3 an advisory committee meet, generally, on this
4 metal-on-metal issue because there was a question as to
5 whether they should be all Class II Devices or all Class III
6 Devices. So FDA is very much involved in this process from
7 the beginning of the second Generation of metal-on-metal.

8 Even though we had gotten the 510(k) clearance, we
9 finalize our studies on the Taper device, submit all the
10 data to FDA in 2002, and we actually change our labeling,
11 our instructions for use, which we'll get into in a little
12 bit. And then two years later, roughly, we submit our
13 510(k) on the Magnum, which FDA clears.

14 And when we start marketing the Magnum, we further
15 revise our labeling, which, we'll show to you, Judge,
16 because, at the end of the day, I hope, as you've learned,
17 there's a lot of risks and benefits that have to be balanced
18 when a surgeon decides what type of implant to use in a
19 patient, and, obviously, our obligation is to provide
20 information through our instructions for use so that the
21 surgeon can make a reasonable, informed judgment and have a
22 discussion with his patient as to what to choose, and I
23 think you will learn that there are many, many options that
24 the surgeon has and that surgeons knew and understood the
25 positives and negatives of all of these devices and then

1 would make appropriate judgments, which, in a product
2 liability case, from our perspective, would mean we
3 satisfied our duty, even if someone ends up with a revision
4 for hypersensitivity.

5 For state of the art -- and you've seen parts of
6 this -- the first metal-on-metal hip replacement was done in
7 the '30s. We've talked about Teflon. We've talked about
8 poly, the first ceramic, the issues with polyethylene, the
9 start of the second generation.

10 And if I could just go down to the bottom, it was in
11 December of 2007 -- I showed you some of that registry data
12 from Australia. In December of 2007, out of the Australian
13 Registry came the first notice, for want of a better term,
14 of a problem with metal-on-metal devices, which was related
15 to a competitor's product, for the most part.

16 In March of 2010, not that the New York Times
17 defines "notice", but there was a significant amount of
18 publicity generated by articles in the New York Times about
19 metal-on-metal and one of our competitor's products. And
20 then, a month later, in the United Kingdom, was a safety
21 alert, again, metal-on-metal, relating to a competitor's
22 product. And we think those are important, both for your
23 statute of limitations purposes for some of these
24 Plaintiffs. And if someone gets a metal-on-metal hip in
25 December of 2010, with all of this publicity -- and they'll

1 be submissions to you about all these meetings of orthopedic
2 surgeons in this country almost twice a year -- all this
3 information is well-known and understood, and, again,
4 surgeons will then make judgments with sufficient
5 information.

6 So I'm going to try and abbreviate a discussion
7 about corrosion and wear because I think you've heard about
8 it. From our perspective, there are two issues, corrosion
9 and wear, and what we think the science will show is that
10 the Biomet metal-on-metal products are designed and
11 manufactured for minimal corrosion and minimal wear.

12 The reality is there always will be the chance for
13 corrosion and there always will be wear. You can't make a
14 device that doesn't have either one of those. If you design
15 them and make them right, they're going to perform
16 appropriately, which is what we think our devices do, and
17 we'll give you some of the design features which we think
18 support that.

19 So any metallic device in fluid is going to be
20 subject to corrosion. When you think about rust, you think
21 about tarnish, that's a form of corrosion. And there's an
22 ion transfer proportioned to the surface area that's in the
23 fluid environment, and general corrosion, which is what
24 we're talking about, first, for these types of metal, is
25 less than parts per billion per centimeter squared of

1 surface area, so it's a really small amount, and titanium
2 and cobalt are on the better side of that corrosion than
3 stainless steel.

4 Now, what you do, though, is -- there are ways to
5 even minimize that. So if you oxidize the surface area, if
6 you polish it extremely well, very, very rigorous, you're
7 going to minimize corrosion, so that is built in to the
8 manufacturing of these devices.

9 Now, what happens if you don't do it right, if it's
10 not well polished and you lose your oxide level, in part
11 because of wear -- wear could erode the oxide -- you're
12 going to get more escalated corrosion on top of the wear,
13 which could create a problem, so that's why it is really
14 important to make sure you've got extremely well polished,
15 extremely well manufactured devices.

16 Now, there's the other part where we talked about
17 those neck adaptors, and you saw a slide from Dr. Klaassen
18 going back. It's an issue for every type of device. They
19 all have some connectors. And if you have those complicated
20 cases where because of the person's anatomy you're going to
21 have to put in more Tapers, you're increasing this risk for
22 the metal corrosion, but that's just a reality of what you
23 need to do to put the device in place, and there are ways
24 that you can design your device in terms of the thickness
25 and size of the Taper to minimize this corrosion.

1 And what Biomet has, which no other metal-on-metal
2 device uses, is its Taper is titanium, not cobalt chromium,
3 so -- there will be a lot of science on this -- because of
4 that titanium, by definition, you have less cobalt chromium
5 ions to float around, and that may be, in a very simplistic
6 level, one of the reasons why the Biomet metal-on-metal
7 products perform that way.

8 And there were studies done because the ions in
9 titanium, when they interact with cobalt chromium, there's
10 an electrochemical reaction. But because the ion transfer
11 is positive, titanium to cobalt chromium, the risk of that
12 electromagnetic corrosion, which is different than the
13 general corrosion, is minimized, and that was well thought
14 out in the 510(k) application. All the appropriate formulas
15 are looked at, and that's just a difference in the design,
16 which, clearly, we believe, has an impact here in a positive
17 way.

18 And those titanium sleeves, those adapters that we
19 just mentioned, they've been around for twenty years in
20 different orthopedic applications, so this was not something
21 new. This was a well-tested use of that metal in that
22 environment, and it turns out, we think, to be very
23 positive.

24 You've heard a lot about wear and tribology. I'm
25 not going to go through what Mr. Lanier and Dr. Klaassen

1 told you, but metal components are more capable of hydrogen
2 binding than polyethylene, so that protein that's out there
3 does work to create that film that we'll talk about that
4 does lubricate. And then the question becomes: Can you
5 keep all of that lubrication going? Can you keep it in
6 place? And that's the clearance issue we'll talk a little
7 bit about in a few minutes.

8 And there are differences. A polyethylene cup, you
9 put a lot of stress on it, it changes shape, so you don't
10 have -- you know, it's just softer. Whereas,
11 metal-on-metal, it doesn't change shape, and you get that
12 wear, issue, and that's something, again, that this is not
13 new and novel, but something understood by surgeons going
14 back many years.

15 This is -- again, you've already heard about wear,
16 the size, the shape, the amount. It is true that metal
17 particulates are smaller than the polyethylene particulates,
18 but one of the things that goes on when the metal wears,
19 sometimes it actually improves the oxide layer, so it helps
20 to prevent or minimize -- "prevent" is the wrong word --
21 minimize corrosion, and that's a natural process. And as
22 long as a person's kidneys and other parts of their body are
23 working fine, all those ions can be excreted normally so
24 that --

25 You know, Dr. Klaassen, I think, said, if you have a

1 patient with bad kidney disease, metal-on-metal may not be a
2 good idea because you don't know if they're going to be able
3 to clear these ions through normal excretion the way
4 everyone else will. Because the question is not -- the wear
5 exists. It's a question of: Do you have a buildup? Do the
6 blood levels get to a certain point that you need to do
7 something?

8 And, here, I will go very quickly. The clearance,
9 you know, those three slides that Dr. Klaassen showed you,
10 there is a clear relationship between a certain amount of
11 clearance and the amount of wear that exists, and what we
12 think the science will show is that the clearance for the
13 Biomet metal-on-metal devices is such that wear -- the
14 volume of wear is minimized and that people are perfectly
15 okay with it as long as their body is in the right frame of
16 mind to excrete, or they don't have that hypersensitivity,
17 because it's a type of allergic reaction, Judge.

18 And surgeons will tell you it's really hard to tell
19 ahead of time if the patient is going to be hypersensitive.
20 There's no good skin test you can do. But if there's a
21 hypersensitivity reaction, it's an allergic reaction. It's,
22 again, something well-known to orthopedic surgeons for
23 years. It started with nickel, mostly, but that can happen
24 and, even with good clearance and wear, you could have that
25 type of reaction.

1 So, again, you've heard some of this already. Edge
2 loading and what can happen when you have the device pushing
3 against the edge creating debris and excessive wear and
4 whether large diameter heads do or do not play a role in
5 this, what the balance is between a large diameter head and
6 reducing the risk of dislocation, because if you dislocate,
7 you're going to get lots of problems, including excessive
8 wear.

9 Fretting is that corrosion issue we talked about.

10 And Number 4, how the femoral component, the head,
11 is designed. There are heads from competitors that are not
12 completely closed, and there's a reason for that design,
13 usually relating to trying to minimize impingement, which
14 Dr. Klaassen told you about. But then if you have an open
15 space, there's places for particles, debris to get in, and
16 that could create problems. That's not for today. But all
17 of the Biomet heads are closed so you don't have that issue.

18 Small diameter clearance. You already saw some
19 visuals one that.

20 And then the cup inclination, which Dr. Klaassen
21 explained to you.

22 So let me move to a science issue, Judge, that, I
23 think, is going to be important here, and I'm going to go
24 through. I moved to Slide 44, Judge.

25 We had a few early cases, Judge, where we were

1 collecting medical records before this MDL was formed.
2 Science day, sort of, precedes by a week when we're going to
3 get the first big wave of Plaintiff fact sheets and then
4 start the process to collect medical records. But in
5 preparation for today, we went back and looked at medical
6 records where we got a sufficient number prior to
7 consolidation.

8 And I can get you the index number for Doe versus
9 **Biomet**, but this is one of them, and what we think is going
10 to be a very important issue here, Judge, is --

11 **MR. LANIER:** Your Honor, for the record, it's been
12 pointed out to me, unclear who the Plaintiff's attorney may
13 be, but the potential HIPAA violation of using an actual
14 name, unless this is a fictitious name and fictitious
15 records. But if this is an actual name and actual records
16 in open court, there may be some HIPAA issues that need to
17 be asserted by an attorney.

18 **MS. RELKIN:** Your Honor, this is Ellen Relkin. This
19 is my client, and I have that same reaction.

20 First of all, I could have brought the records for
21 Mr. Doe, if we were going to have a case-specific issue with
22 Mr. Doe, but I really do think it's inappropriate for
23 snippets of his medical records to be in an open court
24 proceeding which will be available to the world.

25 **MR. WINTER:** Wait a second, Judge.

1 These were all provided, pursuant to HIPAA
2 authorizations, which would allow their use in a court
3 proceeding. We didn't go surreptitiously and go get these
4 medical records. We got them pursuant to a valid
5 authorization.

6 **MR. LANIER:** Your Honor, it's one thing to get them
7 pursuant to a valid authorization for them to use in the
8 litigation proceeding. It's another thing altogether for
9 them to be used in an open court proceeding like this at
10 this point in time.

11 **THE COURT:** Well, let me ask this. Would it be
12 sufficient -- since there's no record being made with
13 exhibits, would it be sufficient to simply make it -- redact
14 the transcript -- I don't know how many you have here -- to
15 a "Doe" or "Roe" or something of that sort -- would that --

16 **MR. WINTER:** Sure.

17 **THE COURT:** Would that satisfy the concern?

18 **MR. LANIER:** That takes care of HIPAA. Thank you,
19 Judge.

20 **MS. RELKIN:** Yes, Your Honor.

21 **THE COURT:** Understanding that this is all visual
22 that we've got. I have a copy of the slides and will change
23 mine to -- should we make this one "Doe"?

24 **MR. WINTER:** Very good, Your Honor.

25 **THE COURT:** Okay. So we'll redact the transcript to

1 make it "Doe" whenever we're talking about this particular
2 patient.

3 **MR. WINTER:** And what this Doe case involves is
4 someone whose left hip was replaced in June of 2010 and,
5 ultimately, was revised in November of 2011. And when you
6 look at those first set of notes, the surgeon was not at all
7 convinced that the pain had anything to do with the hip, per
8 se, but, actually, was related to prior back problems,
9 something that Dr. Klaassen alluded to. And when you look
10 at the operative note, it is very clear that the surgeon was
11 aware of the risk of metallosis and affirmatively says he
12 didn't see any and that everything else was well fixed, and
13 he looks twice and doesn't see any metallosis.

14 So one of the things, Judge, is we collect the
15 medical records. We think there's going to be a substantial
16 number of cases where, objectively, you look at them, and
17 there's no evidence of metallosis, metal damage at all.

18 And we think we're going to have a big group of
19 cases where we have people who have problems having nothing
20 to do with the design of their device, and we're going to
21 meet and confer with our colleagues as to whether, you know,
22 that's a summary judgment issue en mass, individually for a
23 group of cases, because the reality is there is a revision
24 rate for every hip replacement. There's no hip system that
25 is even close to perfect over all time.

1 **THE COURT:** So as I understand -- and correct me if
2 I'm wrong because, obviously, I don't want to put words in
3 your mouth -- you're not disputing that there can be
4 metallosis at the time of the revision; your position is
5 that not every revision shows metallosis?

6 **MR. WINTER:** That's correct, Judge.

7 And when we have metallosis, we're going to have to
8 try and figure out why it exists. But if we have a case
9 where it is clear in the record that there's no metallosis,
10 we think that those cases either should be dismissed or
11 don't belong in this proceeding.

12 And if we were to go to -- I'll call the next one
13 "Roe." We told you this morning that not quite a third of
14 the cases are nonrevision cases, and we have assumed when a
15 complaint is filed, because we don't have many medical
16 records, we have assumed when a complaint is filed that if
17 the Plaintiff, in fact, had a hip revision, that allegation
18 would be in the complaint. Now, if that assumption turns
19 out to be wrong, the numbers are going to change. But,
20 right now, about a third of the cases, there's no allegation
21 of revision. And if we look at these medical records on
22 Roe, this was a hip replaced in 2008, and there is
23 complaints of pain, which can happen. But this patient is
24 worked up by her surgeon, along the lines of looking at the
25 ion levels, doing all sorts of testing, and there is nothing

1 wrong with her as you could say relates to metal ions, let
2 alone some objective finding that there's a cyst, there's a
3 mass, there's something going on, even like a lot of fluid.

4 So, again, we think, Judge, after we collect a lot
5 of medical information, we're going to need to have a meet
6 and confer on how we deal with cases like Roe, and we think
7 there are going to be a substantial number of them. And for
8 lots of reasons, we think they should be dismissed, but
9 that's, you know, for another day, and the science of --
10 there's no -- there's no objective injury here, so,
11 therefore, how could you have a lawsuit.

12 I want to go back and pick up the time line and
13 FDA's review and oversight here. I stopped at 2004. I'm
14 picking up in 2009. And FDA requested of all metal-on-metal
15 manufacturers data on the performance of their devices.
16 It's called a 515(i) order. And that 515 section relates to
17 how devices are classified by FDA.

18 And Biomet has been very proactive in providing
19 information to FDA, convening meetings of external experts
20 to see what they think about our data, what they think about
21 the performance of our device, and we've been updating FDA
22 regularly.

23 And, in 2010, the end of 2010, Judge, we proposed to
24 FDA a post-marketing variance study -- that's the PMS -- for
25 our metal-on-metal products. We wanted to make sure, as we

1 collected the data, it was in a form that FDA liked, I mean,
2 very, very simply.

3 And what happened is about five, six months later,
4 FDA sent out a notice -- that 522 notice you've heard the
5 parties talk about -- saying, "Pursuant to our authority, we
6 want all of you, all metal-on-metal manufacturers, to go out
7 and do this type of study, collect data."

8 And we did that. We've made submissions to FDA. We
9 also actually revised our labeling, again, and I'll show you
10 some of that.

11 And FDA gave us some feedback, and, ultimately -- I
12 still think this is true, although, I'm not one hundred
13 percent certain -- I think we're the only metal-on-metal
14 manufacturer that has an approved 522 plan in place and have
15 actually -- we've made submissions to FDA regarding our 522
16 study. We have six-month requirements for reporting, and
17 you'll see a slide on that. Others may have been -- other
18 manufacturers may have been approved, but, until earlier
19 this year, we were the only ones.

20 And then, in June of last year, there's another
21 meeting of an FDA Advisory Committee on metal-on-metal
22 devices, generally, and there was a lot of back and forth on
23 many of the issues that the parties have touched on today.
24 And whether or not there's a clearance, you know, we'll
25 await further discovery, experts, and whether or not there

1 is, in certain areas, a definitive answer, or is this
2 something that twenty or thirty years from now we're going
3 to know better, and that's a science issue for **Daubert** that
4 we'll work on a meet and confer to present to you.

5 And, finally, in January of this year, FDA issued an
6 order saying -- and Mr. Lanier alluded to it -- "Going
7 forward, we want to look at your data regarding your device
8 for clearance, and we want to make, basically, everything a
9 Class III PMA device." It's not precisely stated that way,
10 but that, clearly, is what FDA's intent is.

11 And Biomet does have clinical data on its
12 metal-on-metal devices. And once FDA publishes what the
13 four corners of the study requirements are, Biomet's going
14 to sit down with FDA and say, "This is what we have. What
15 do you need, want, or is this amount acceptable?"

16 So there's a process and a dialogue that's ongoing,
17 and we just need parameters, and we're going to sit down
18 with FDA -- they have most of the data already. In fact,
19 they have it all -- and we'll find out what, if anything, we
20 need to do more to then go submit a PMA.

21 Very quickly, on our instructions for use. And this
22 device, obviously, is one that only highly-skilled surgeons
23 use. This is not something a general practitioner or a
24 general surgeon does. So there's a lot of training and
25 experience that these surgeons go through, and they have to

1 do a lot of cases before they have the expertise to do these
2 types of complicated surgeries and deal with the individual
3 patient nuances that, many times, present themselves. So
4 these instructions for use are written for those type of
5 people, and you'll see -- this goes back to, like, 2000 --
6 our instructions for use, going back to 2000, have had this
7 type of information in them.

8 We talk about metal sensitivity issues. We talk
9 about fretting and the corrosion and problems on the
10 articulating surface. This is something we were telling
11 surgeons about as a possibility more like thirteen years ago
12 or twelve-and-a-half years ago, Judge.

13 And we didn't leave it at that, Judge, because as
14 time went on, in 2002, as we were seeing evolving
15 information -- because we did do ion level studies -- we
16 added this information to our labeling so surgeons could
17 make informed judgments. And we keep revising that, and
18 every one -- just so you know, Judge, each one of those
19 boxes that has a part has the instructions for use in the
20 box, but surgeons don't need them in the box. They're well
21 acquainted with them. But, literally, every device that is
22 shipped includes those instructions for use.

23 And, again, we keep modifying them. In 2006 -- as
24 more information becomes known to us, we communicate that
25 because it is an evolving process. I think both sides have

1 common ground, that information about these types of
2 products, information about any orthopedic product evolves
3 over time.

4 This is a change that we made in 2008. And this
5 becomes -- obviously, Judge, depending on when a device is
6 implanted, there's going to be a level of information and
7 understanding. But even someone who had an implant in 2003,
8 this data would have been -- some of this data would have
9 been in instructions for use, so they would know about
10 hypersensitivity and ion levels.

11 And then as the regulatory agencies like FDA and the
12 UK issued statements and recommendations about how to manage
13 patients once they have a device in place, that goes into
14 our labeling so that surgeons can follow their patients,
15 just like that Roe woman, so you can look at it and you have
16 a template. Okay. They come back. What are their ion
17 levels? Okay. Then you take care of that. Or if their ion
18 levels are elevated, what's the next step? Or if they have
19 evidence of an infection, what's the next step? It is
20 probably well-known to surgeons, but it's re-enforced.

21 So, very quickly, this is where we stand on our 522
22 study, and ours compares the different devices
23 cross-sectionally from the time they were marketed, because
24 they were marketed at different times, and the control is a
25 group with metal-on-poly, so that's the basic structure,

1 each device compared to a group with metal-on-poly.

2 As of, I think, about a month or two ago, there were
3 more than 160 patients already enrolled in our study and
4 their ions levels have been accumulated on a group of them.

5 Just to go back to this ten percent at ten year.
6 You have broken out there the data on the three Biomet
7 products that you have in this MDL, seven-year data as of
8 November 30th of 2012. So in terms of survivorship, so that
9 means for the Magnum, a little bit more than 95 percent of
10 the devices that were implanted, seven years later, are
11 still in place. For the 38s, it's 89.9 percent.

12 And just to stop for a second, when Mr. Lanier
13 showed you that one study, Lombardi, literally, Judge, there
14 are a group of surgeons in Ohio, very skilled surgeons, and
15 it is their data for their patients with the 38s that,
16 literally, statistically moved the number, and what remains
17 to be seen is what were the patient characteristics in that
18 group, which we know was weighted toward women, created
19 their revision rate, whether their activity levels, whether
20 it was their bone -- you know, they had problems with their
21 bones to start with, whether they had that anteversion where
22 the femur goes forward, which creates problems. That needs
23 to be analyzed, whether they were morbidly obese.

24 But the data is what it is, and the total numbers
25 are what you have there. And while the gold standard is 90

1 percent, acceptable levels are actually below the 90
2 percent.

3 And then the Taper, which has been there the
4 longest, 97 percent of them are still in.

5 And just as reference there, people have been
6 looking at the ion levels, studying them, and a group did a
7 comparison -- it was published about two years ago --
8 looking at four different manufacturers' devices and looking
9 at ion levels in different groups of patients. And in that
10 study, the lowest ion levels of the four manufacturers'
11 studies was the Biomet metal-on-metal. And, you know, it's
12 for a different day, but one of the things that those
13 authors point out was the Magnum System was the only one
14 with the titanium Taper.

15 Now, sort of, in conclusion here, earlier I showed
16 you Biomet versus the two registries, Biomet metal-on-metal
17 versus the metal-on-poly in those two registries, and that's
18 the only registry data that we could access to give you
19 these numbers. So if you compare the Biomet numbers to the
20 other registries, metal-on-metal versus metal-on-metal, you
21 can see that the performance -- I'm not going to say
22 significantly better, but it is, clearly, superior, both in
23 terms of the revision rates.

24 And even when you skew the numbers to say, "Let's
25 take Biomet's seven-year data," which is that second piece

1 on the bottom, "and compare it" -- because I don't have
2 seven-year data from those other registries -- "five
3 years" -- so we're looking at seven years for the Biomet,
4 five years for the others -- the performance is, clearly,
5 good.

6 So, you know, I think when we do get into the nuts
7 and bolts of these different designs, the results that we
8 think you focus on for the Biomet products, it's going to
9 show that these are well-performing products, whether you
10 compare them to other metal-on-metal products or compare
11 them to metal-on-poly, which, I suspect, someone may say, at
12 some point, is the gold standard, they're going to compare
13 fairly and favorably, and we think we've laid out four
14 reasons why the difference in the design of the Biomet
15 metal-on-metal explains the good results for the product.

16 Thank you, Judge.

17 **THE COURT:** Thank you, Mr. Winter.

18 Mr. Lanier, I guess, the right to close the science
19 day presentations.

20 **MR. LANIER:** Thank you, Judge.

21 Okay. May it please the Court.

22 Your Honor, I put together a rebuttal PowerPoint. I
23 didn't have time to print it up because I was fast and
24 furious while we were going through this this afternoon.

25 Originally, I may have messed up, and I don't think

1 I necessarily did, but I probably just have the bias that I
2 have as a lawyer because I'm sitting on this side of the V
3 instead of that side so my hackles raise and all, and the
4 reason why is, Your Honor, I really tried hard to only give
5 you the useful terms and the important issues. I tried not
6 to be too much of an advocate at all, but I feel like I need
7 to add in rebuttal the and-why-we-think-they're-wrong stuff
8 because I feel like they've really made an opening
9 statement, in a sense, rather than science education. So
10 what I'm trying to do is -- I'm going to do it, briefly, and
11 I'm going to try and do it in as non-biased a fashion as I
12 can in the spirit of what I think I'm supposed to be doing,
13 but I think there are a few things, if nothing else, on the
14 record, I have to address, or everybody will stone me, and
15 I'll never be allowed to speak to you again.

16 So, first, an issue that has arisen, because of now
17 whom we know they called, Mark Klaassen, Dr. Klaassen, is
18 the way the company uses the medical community, and we'll be
19 seeking discovery on this. Mr. Klaassen, Dr. Klaassen, of
20 course, is a consultant for Biomet. He's a developer for
21 Biomet. They pay him seven figures, by the best of our math
22 at this point, in excess of seven figures, one of a number
23 of doctors that get paid millions of dollars to be
24 spokespersons on behalf of these things, and we're going to
25 want to get into that. It will be a necessary element for

1 everybody to understand who it is that's talking and what
2 they have to say.

3 Second issue on rebuttal, failure rates. A lot has
4 been said about failure rates during the presentation.
5 There are a couple of things we want to underscore. First,
6 while Dr. Klaassen, a Biomet developer, may be happy with a
7 one percent annual failure rate, that is, actually,
8 inordinately high, and that's not an acceptable failure rate
9 for much of the industry.

10 Toward that end, Biomet sends out a Dear Doctor
11 letter where Biomet is informing, at the insistence of the
12 FDA, certain data. In their Dear Doctor letter that Biomet
13 sent out, they specifically showed that for the M2a-38 the
14 failure rate, at seven years, was in excess of one percent a
15 year. You're down to almost your 90 percent after just
16 seven years, so you've got a failure rate, Your Honor, that
17 far exceeds their one percent that even -- that we take
18 issue with, but, even still, that their own doctor says is
19 acceptable.

20 If you go to what Mr. Winter showed at the end, I
21 think he's got apples and oranges on his revision rates.

22 So, for example, if we look at the ELMO in this
23 regard -- if I could go, please, to the ELMO.

24 **MS. KIRKWOOD:** (Complies.)

25 **MR. LANIER:** If we look at the ELMO, this is Slide

1 Number 61 that was presented.

2 Maybe I'm messing up here.

3 **MS. KIRKWOOD:** It takes a second to warm up.

4 **MR. LANIER:** Okay. I'm sorry. I should have given
5 you some warning.

6 If we look at their Slide 61, what we can readily
7 see here is that they're comparing the Biomet Magnum at
8 95.47 percent to persuade you that they beat the industry
9 standard because the rest of it is 96.2, at five years, or
10 this, whatever that may be, is another figure that's 91.2
11 after five years, and they start cherry-picking data.

12 And the reason I call it cherry-picking is because
13 they take their Magnum at seven years when -- if we go back
14 to the PowerPoint, please -- we know from the PowerPoint
15 itself, if they had looked, instead, at the M2a-38 after
16 seven years, they don't get the 95.47 percent that they
17 gladly show you.

18 What they need to do is recognize that this is an
19 issue for the Court, but it's not an issue disposed of by
20 the argument of either side. We'll both need to bring
21 experts, hash through all of these statistics. And,
22 ultimately, when we do so, we'll do it not only with
23 Biomet's rates, but we'll compare it to the other companies.
24 Because while the Biomet development surgeon says that one
25 percent per year is fine, that failure rate is higher than

1 other products that have actually been recalled from the
2 market for being too unsafe.

3 For the larger head sizes, in excess of
4 thirty-two millimeters, the revision rate for the Biomet
5 M2a, at five years, is over one percent a year. At seven
6 years, it's over one percent a year. If you compare it --
7 whoops, I'm going the wrong way -- to the Strycker System,
8 which only had 4.2 percent, at five years, Strycker recalled
9 that product because that was deemed too excessive. The
10 Zimmer Durom cup, 6.4 percent, also recalled.

11 So, next issue, next rebuttal issue -- turn the
12 page -- anecdotal stories versus full data.

13 We did not bring you anybody as a surgeon or
14 anything like that who could tell you anecdotal stories of
15 "I had a patient where this happened," or, "I had a patient
16 where that happened." And I don't fault the doctor. He's
17 not a lawyer. He doesn't understand **Daubert** and that
18 anecdotal stories aren't allowed in the courtroom like that.
19 But what I want the Court to understand is, the question
20 is -- you know, in this situation, we're being told by their
21 doctor that poly is bad and metal is good, but we read their
22 press releases and the Biomet press releases say they've got
23 poly that exhibits this incredible wear, compared to other
24 poly liners, and they've got Vitamin E poly, and they've got
25 all these different things.

1 And this doctor may say, "Well, I had a case where I
2 had metallosis in a metal-on-poly implant, so metallosis is
3 not exclusive to metal-on-metal," and I'm sure he did,
4 because what he said was, "I had a metal-on-poly, and the
5 poly had cracked and worn out," and then it became
6 metal-on-metal because the liner's gone, and the metallosis
7 doesn't come from metal-on-poly. It still comes from the
8 metal-on-the-metal. It's just, if the poly is cruddy, and
9 it's a bad poly or something and it breaks, then, yeah,
10 you're going to have metal-on-metal. And if a surgeon
11 doesn't pick it up quick enough, you'll have metallosis, but
12 that doesn't mean that metal-on-metal is just as good as
13 metal-on-poly. That's what these global rates are for, as
14 opposed to an anecdotal story, and that's the reason why the
15 study done by Molli that showed these rates are so important
16 because it shows the Magnum, it shows the Taper, and it
17 shows the 38 compared to the same company's poly, and those
18 are the failure rates. And this is not something we did.
19 This is something that's done with their product. And so is
20 there an anecdotal story here and there? I'm sure there is.
21 I'm sure I could bring some, too.

22 Now, I've added this bold here that says, "Different
23 revision in monoblock and poly liner," because one thing the
24 surgeon did not tell you, when there's a -- that's all
25 right.

1 This is the cup. This is the ball. If your cup is
2 a poly liner, the metal outside of the cup goes into that
3 acetabular, the hip, and then you put a plastic liner inside
4 it. Then you've got a metal ball.

5 Now, in that event, if your plastic liner fails,
6 yes, you have a revision surgery, but what it is is you
7 unzip the leg, you go back in there, you pull out the
8 plastic liner, you put in a new one, and you zip them back
9 up.

10 Not so, the revision surgery, when you've got a
11 monoblock, which is one of their products, where you don't
12 have the cup holder and an insert. It's all one piece.
13 Then, you've got to go in, and you've got to chisel that
14 sucker out. You've got to take -- and the bone's grown into
15 it from the back, and it is a massive surgery. Then you've
16 got to re-ream it, because you've done that, and take a
17 bigger cup to make anything fit.

18 And so what's involved in this -- there's not just
19 some thin line between, well, metal-on-poly, metal-on-metal.
20 You say, "Tomato." I say, "Tomato." It's not. There's a
21 huge difference.

22 Next. I do think it's important for the Court --
23 and I do think we'll have testimony toward this end, that
24 all of these surgeons, with maybe the possible exception of
25 this doctor -- and he didn't tell us what he's doing

1 today -- all of these surgeons aren't using this anymore.
2 All of these surgeons aren't using metal-on-metal for a very
3 good reason.

4 This "Going, going, gone" article, those are Biomet
5 surgeons that wrote that. Those aren't people who were
6 working for the Plaintiffs' Bar trying to seed the
7 literature with wonderful things for me to use in front of a
8 jury. Those are Biomet surgeons. Nobody is using it.

9 This doctor quoted Dr. Schmalzried. Schmalzried was
10 paid -- I don't know -- ten-plus million dollars by DePuy
11 for being one of their developers on their product.
12 Schmalzried won't even use it anymore. He's testified to
13 that end in their trials. Nobody's using it.

14 Next issue. Corrosion and wear. And now I'm into
15 what Mr. Winter was saying more directly after lunch.
16 Mr. Winter talked about passivation, and passivation is a
17 word that's a term of art in the corrosion industry worth
18 noting, worth explaining. Passivation. All metal-on-metal
19 hip implant manufacturers claim that they have the secret
20 and best way to passivate or protect their metal from
21 corrosion. So you may have titanium that Mr. Winter was
22 talking about on the sleeve. You may have some other
23 oxidizing covering or porous coating over the ball.

24 And I appreciate that Mr. Winter says, "We've got
25 the absolute best way to protect our" -- "to coat it so

1 that our product is not subject to corrosion."

2 But, in all candor, as lawyers working on every one
3 of these hip implants, every one of the companies says in
4 their sales literature, to every one of the doctors, "Hey,
5 we have the very, very best."

6 They all think they do, and you'll have to parse
7 through and a jury will have to parse through and see who
8 does, but, regardless of who does, passivation only works
9 when the coating is in place. And any time you have wear,
10 which you've got with metal-on-metal, it wears out the
11 coating, and so you're right back into corrosion land.

12 Case specific. Doe and Roe. I want to say a couple
13 of things about this. I want to say, first of all, we
14 didn't bring our bellwether cases of horrendous situations
15 to present to the Court. This isn't the time or place.

16 Second, with all due respect to Mr. Winter,
17 metallosis is only one reason these hips fail. They fail
18 for other reasons. The slide that I had put up before of
19 metallosis also had pseudotumors. Pseudotumors is also
20 known as fluid accumulation, something you will find on the
21 medical records of John Doe. There was fluid accumulation.
22 That is what some doctors use as terminology for
23 pseudotumors. So you can't just immediately dismiss this
24 because the guy had stenosis on his L3, L4 back level, and
25 the surgeon thought that might be a problem, and then he

1 redo the hip. Surely, the surgeon didn't redo the hip if
2 he didn't think it needed to be redone. But, regardless,
3 you've got lots of other reasons these hips can fail and
4 need a revision. Metallosis is one. Pseudotumors is one.
5 A dislocation is one. A loosening of the implant is one.
6 So we've got to look at those carefully and not just
7 pell-mell dismiss them.

8 Now, there are a number that are nonrevision cases,
9 and this is something that the Court needs to address
10 carefully because this will be an issue when you decide the
11 bellwether protocol, if you reach that point.

12 I would suggest to the Court that nonrevisions are
13 not over yet. There's a reason why we don't look at the
14 revision rate after one year and call it quits. You look at
15 the revision rate after one year, two years, three years,
16 five years, seven years, ten years because the opera is not
17 over until that wonderful lady sings. And just because
18 there wasn't a revision yet in some of these Plaintiffs
19 doesn't mean that there won't be a failure and a revision.
20 It can take time. All the revisions do not come within the
21 first six months of implant. In fact, most of them don't.

22 And the reason that's important is because
23 Mr. Winter, in one breath, will tell you that all of these
24 nonrevisions just need to be thrown out and that that silly
25 Plaintiff's lawyer shouldn't even be bringing them, and

1 then, in the next breath, will put up his
2 statute-of-limitations argument that says, "If they didn't
3 file within this many days, within this many years, of this
4 New York Times article, then pour them out for that."

5 So the Plaintiffs' Bar is left in a position of
6 having to file those cases of those clients who have an
7 issue but may, for some reason or another, not yet have had
8 the revision surgery, and it's just a matter of time. Some
9 of them, thankfully, statistically, won't need that surgery,
10 but many of them will.

11 Almost done, Your Honor.

12 Clinical testing for the FDA, next rebuttal point.

13 With all due respect to Mr. Winter, he spoke in such
14 a way where it almost gives an indication that there was
15 clinical testing being done for these devices for the FDA,
16 and we've pulled up their 510(k) applications.

17 Here's one for the M2a-Magnum System in 2004, and it
18 specifically says, "Clinical testing was not used to
19 establish substantial equivalence to predicate devices."

20 Now, was there some clinical testing that may have
21 been done for some reason or another? Perhaps, in one
22 situation, for one device, in 1995. But was there clinical
23 testing that the FDA would use and rely on for these devices
24 to establish substantial equivalence? No.

25 Last rebuttal point, warnings and usage.

1 So Mr. Winter puts up -- as he's closing, he says,
2 "We gave these Biomet metal-on-metal instructions for use."
3 This was one of his slides. I have tried to make a copy of
4 it quickly on the spot and put it into my PowerPoint because
5 I was amazed that he would use this slide. This is one of
6 the slides, when we get to the liability case, that I'm
7 going to use. In the liability case, this is my slide, that
8 they weren't warning right. This is my slide that talks
9 about the relatively low amount of particles, when, in fact,
10 it's 20,000 times as many particles. This is my slide that
11 says, "Although mechanical testing demonstrates that
12 metal-on-metal articulating surfaces produce a relatively
13 low amount of particles." That's not at all what the
14 testing proves. You know, the adequacy of this warning is
15 something that will be a huge issue in this case, as well.

16 You've been very patient with me today, and I thank
17 you, Judge.

18 **THE COURT:** Thank you, Mr. Lanier.

19 I'd propose we take a short break before we get to
20 the argument on the dismissal motion.

21 I realized, today, that I did not set, in the
22 setting, forth a time limit on the arguments. Ordinarily, I
23 go with fifteen minutes per side on a single dismissal
24 motion. I don't know who's arguing.

25 Will that work for you folks?

1 **MR. WINTER:** It will for us, Your Honor.

2 **THE COURT:** I don't know who is --

3 **MS. RELKIN:** Yes, Your Honor.

4 **THE COURT:** Okay. I don't know if everybody is
5 staying for the argument. We can set the next conference at
6 this point, if you would like.

7 **MR. LANIER:** That would be helpful.

8 **THE COURT:** Would that be best?

9 Hold on a second. I have to -- I promised I
10 wouldn't bring you folks in on another Notre Dame game so
11 let me get their schedule up here.

12 Okay. Since I'm not seeing too much of what's going
13 on now, let me ask you folks, first. We've been going six
14 weeks.

15 Is that what we should hold to, more time, less
16 time? I don't know where you are at this point, as far as
17 the need for these conferences. Obviously, I don't want to
18 make you travel to South Bend just for the heck of it.

19 **MR. ANAPOL:** Your Honor, right now, we have a bunch
20 of meet and confers on a number of issues. I don't know
21 that we need one, necessarily, in six weeks, maybe either
22 teleconference or let you know if issues arise, but we were
23 thinking, you know, if Your Honor is on board with this,
24 September.

25 John?

1 **MR. WINTER:** I think that would work, Judge, and
2 maybe what we'll do is we'll jointly report to you
3 sometime -- we'll pick a date in July and say, "Looks like
4 we're okay, Judge. Let's go to September."

5 Does that work?

6 **MR. ANAPOL:** That works, yeah.

7 **THE COURT:** Let me give you the September date so
8 that those who are planning ahead can get here.

9 If we do a Monday, you shouldn't be tied up with the
10 home games, but, just in case, they're September 21st and
11 28th, so, I guess, logically, we might want to get ahead of
12 that. Although, the 23rd and 30th are wide open.

13 **(Discussion held outside of reporter's hearing.)**

14 **THE COURT:** People leave on Sunday. I think Monday
15 would --

16 **MR. LANIER:** The 23rd works good from our end.

17 We're all echoing it.

18 **THE COURT:** Okay.

19 **MR. WINTER:** The 23rd will work for us, Judge.

20 **THE COURT:** Well, let's grab it, set it for 9:30.

21 **MR. LANIER:** And, your Honor, do we sit in your box,
22 if we come in Saturday for the game?

23 **THE COURT:** You're talking to the wrong person on
24 that.

25 **MR. LANIER:** Oh, okay. All right. Just checking.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE

I, DEBRA J. BONK, certify that the foregoing is a correct transcript from the record of proceedings in the above-entitled matter.

DATED THIS 26th DAY OF JUNE, 2013.

S/S DEBRA J. BONK

DEBRA J. BONK
FEDERAL CERTIFIED REALTIME REPORTER